

1 STATE OF WASHINGTON DEPARTMENT OF LICENSING

2

3

4

5 ERIC ARNTSON

6 Plaintiff,

7 v. Case No. [Redacted]

8

9 STATE OF WASHINGTON,

10 DEPARTMENT OF LICENSING,

11

12 Defendant.

13 \_\_\_\_\_

14

15 HEARING

16 OCTOBER 26, 2007

17

18 - - -

19

20 BE IT REMEMBERED THAT, pursuant to the Washington Rules of

21 Civil Procedure, this proceeding was taken before Valerie

22 Allard, a Certified Court Reporter, No. 3040, on October

23 26, 2007, commencing at the hour of 1 p.m., the proceedings

24 being reported at 320 North 85th Street, Seattle,

25 Washington.

1 APPEARANCES:

2 KATHRYN KOEHLER, HEARINGS OFFICER

3 Department of Licensing

4 Hearings and Interviews

5 320 North 85th Street

6 Seattle, Washington 98103

7 Phone (206)297-4525

8 Fax (206)706-4267

9 E-mail kkoehler@dol.wa.gov

10 ELLEN BARTON, HEARINGS OFFICER

11 Department of Licensing

12 Hearings and Interviews

13 320 North 85th Street

14 Seattle, Washington 98103

15 Phone (206)297-4525

16 Fax (206)706-4267

17 E-mail kkoehler@dol.wa.gov

18 JERALD R. ANDERSON, Senior Counsel

19 Assistant Attorney General

20 1125 Washington Street Southeast

21 Olympia, Washington 98504

22 Phone (360)753-6987

23 Fax (360)664-0174

24 E-mail jerrya1@atg.wa.gov

25

1 TED VOSK, ESQUIRE

2 The Bianchi Law Firm

3 705 Second Avenue, Suite 1000

4 Seattle, Washington 98101

5 Phone (206)622-3122

6 Fax (206)622-3129

7 E-mail [georgebianchi@thebianchilawfirm.com](mailto:georgebianchi@thebianchilawfirm.com)

8 KEVIN TROMBOLD, ESQUIRE

9 The Law Offices of Kevin Trombold

10 720 Third Avenue, Suite 2015

11 Seattle, Washington 98104

12 Phone (206)382-9200

13 Fax (206)467-3152

14 E-mail [kevin@tromboldlaw.com](mailto:kevin@tromboldlaw.com)

15 MOSES GARCIA, ESQUIRE

16 Stafford frey cooper

17 601 Union Street, Suite 3100

18 Seattle, Washington 98101

19 Phone (206)667-8263

20 Fax (206)748-9047

21 E-mail [mgarcia@staffordfrey.com](mailto:mgarcia@staffordfrey.com)

22

23

24

25

1 ALSO PRESENT

2 Cesar O. Velasquez, Esquire

3 The Law Offices of Cesar Velasquez

4 2315 112th Avenue Northeast, Suite 210

5 Bellevue, Washington 98004

6 Phone (425)889-5923

7 Fax (425)455-4354

8 E-Mail covcmv@msn.com

9

10 ROD GULLBERG, RESEARCH ANALYST

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1	EXAMINATION INDEX		
2	EXAMINATION BY		PAGE
3	Mr. Vosk		8
4	Ms. Garcia		59
5			
6			
7			
8			
9			
10			
11	EXHIBIT INDEX		
12	EXHIBIT NO.	DESCRIPTION	PAGE
13	63	Biographical Record	8
14	64	Batch No. 07007	19
15	65	Calculation Mean Schedule	19
16	66	Plots	22
17	67	Hand Calculations Batch No. 07007	27
18	68	Batch No. 07004	37
19	69	Hand Calculations Batch No. 07004	39
20	70	Hand Calculations Batch No. 06012	41
21	71	Batch No. 06012	42
22	72	Batch No. 07023	42
23	73	Corrected Calculations 07007	82
24			
25			

1 Seattle, Washington

2 Friday, October 26, 2007,

3 1 p.m.,

4 HEARINGS OFFICER KOEHLER: This is an  
5 administrative proceeding before the Department of  
6 Licensing of the State of Washington. I'm Kathy Koehler,  
7 Hearing Officer. And today's date is October 26, 2007.

8 This is a reconvened hearing in the matter of Eric  
9 Arntson. Other hearings were held on September 18, October  
10 5, October 12, October 16, October 17, as well. And this  
11 is a recorded proceeding. There is a court reporter  
12 present and also the proceeding is being recorded digitally  
13 and by tape.

14 As I indicated, the Petitioner is Eric Arntson.  
15 And Mr. Arntson is represented by George Bianchi and Ted  
16 Vosk. Mr. Vosk is present.

17 HEARINGS OFFICER KOEHLER: And is Mr. Arntson  
18 waiving his presence?

19 MR. VOSK: Yes, your Honor.

20 HEARINGS OFFICER KOEHLER: All right. And the  
21 Department of Licensing is represented by Moses Garcia  
22 who's also present. And to my right is Jerry Anderson,  
23 Assistant Attorney General with the State of Washington.  
24 And Mr. Anderson is legal advisor to the Hearing Officer on  
25 issues of procedure. And then to my left is Ellen Baron,

1 another Hearing Officer with the Department of Licensing.

2 And perhaps for the record, we can again go around  
3 the room and state your names so that we have a good  
4 identification of who's present.

5 MR. TROMBOLD: Good afternoon. Kevin Trombold.

6 DR. EMERY: Ashley Emery.

7 HEARINGS OFFICER KOEHLER: Mr. Vosk is present.

8 DR. EMERY: Ashley Emery.

9 CESAR VELASQUEZ: Cesar Velasquez.

10 MR. DAHLQUIST: James Dahlquist, Department of  
11 Licensing, not participating.

12 MR. GULLBERG: Mark Gullberg, Washington State  
13 Patrol.

14 MR. GARCIA: And Moses Garcia for the Department  
15 of Licensing.

16 HEARINGS OFFICER KOEHLER: All right. And we  
17 convened here today for further testimony in the Arntson  
18 matter. And Dr. Ashley Emery is present to testify; is  
19 that correct, Mr. Vosk?

20 MR. VOSK: That is correct, your Honor, yes.

21 HEARING OFFICER KOEHLER: Okay. And you're ready  
22 to proceed?

23 MR. VOSK: Yes, your Honor.

24 Can I get this marked real quick?

25 HEARINGS OFFICER KOEHLER: Okay. I believe that

1 we left off with Exhibit 62. So did you provide a copy to  
2 counsel for the Department.

3 MR. VOSK: That's what I'm trying to do right now,  
4 your Honor. I had two of them but somehow -- oh, we go.  
5 Okay.

6 HEARINGS OFFICER KOEHLER: And this appears to be  
7 Dr. Emery's CV?

8 MR. VOSK: Yeah. We'll go ahead and identify it  
9 through the -- I just wanted to get it marked first, so I  
10 could identify it for the record before I had him --

11 HEARINGS OFFICER KOEHLER: Okay. I'm marking it  
12 as Exhibit 63.

13 MR. VOSK: Thank you, your Honor.

14 ASHLEY EMERY was sworn by Hearings Officer  
15 Koehler.

16 HEARINGS OFFICER KOEHLER: You may proceed Mr.  
17 Vosk when you're ready.

18 MR. VOSK: Thank you, your Honor.

19 EXAMINATION

20 BY MR. VOSK:

21 Q Dr. Emery, can you please state your name, your  
22 full name for the record, please.

23 A Ashley Emery.

24 Q Where are you employed?

25 A The University of Washington.

1 Q And what is your position there?

2 A Professor of mechanical engineering.

3 Q And how long have you been a professor of  
4 mechanical engineering?

5 A 46 years.

6 Q Can you give us a summary of your educational  
7 background, please?

8 A Bachelor's, master's and PhD from the University  
9 of California at Berkeley in mechanical engineering.

10 Q Have you held any other positions at the  
11 University of Washington?

12 A Been associate dean, chair of the department,  
13 chair of the faculty senate, chair of the budget committee.

14 Q And do you belong to any professional or  
15 scientific societies?

16 A Currently, I belong to the American Society of  
17 Mechanical Engineers and the American Society for Heating  
18 and Ventilating and Refrigeration Engineers.

19 Q Okay. Are you also a member of the Society of  
20 Industrial and Applied Mathematics?

21 A No longer.

22 Q No loner. You were at one time?

23 A Yes, I was.

24 Q And what kind of classes do you teach?

25 A What?

1 Q What kind of classes to you teach?

2 A I teach Numerical Analysis. I teach laboratories.

3 I teach Heat Transfer, Fluid Mechanics, thermal analysis,  
4 thermal stresses, fracture mechanics, bioengineering.

5 Q And have you taught statistics?

6 A Yes, I have.

7 Q And advanced math?

8 A Yes, I have.

9 Q And the Design of Experiments?

10 A Yes.

11 Q And have you published in peer review journals?

12 A Yes.

13 Q How many publications do you have?

14 A 250 -- 300 -- I don't know.

15 Q Okay. Did you write a chapter for a book entitled

16 "The Effect of Uncertainties and Correlations on the

17 Efficiency of Estimating and the Precision of Estimated

18 Parameters"?

19 A Yes, I did.

20 Q And what book was that in -- or let me ask you

21 this: Was that in the Inverse Engineering Handbook for CRC

22 Press, 2002?

23 A Yes, it was. Thank you.

24 Q And did you write a paper, "The Use of Kriging and

25 Nuisance Variables in Parameter Estimation" which was

1 submitted to Inverse Problems in Science and Engineering?

2 A Yes, I have.

3 Q Okay. And a paper named "Estimation of Errors in  
4 a Complex Simulation Model When Applied at Conditions Far  
5 From Where it was Calibrated"?

6 A Yes, I did.

7 Q Another article "Parameter Estimation for Noisy  
8 Data and Nuisance Variables using Bayesian Inference"?

9 A Yes, I did.

10 Q Another article "Some Thoughts on Solving the  
11 Radiative Transfer Equation in Media with Stochastic  
12 Properties using Polynomial Chaos and Wick Products"?

13 A Yes, I did.

14 Q Another paper "The Use of Spatial Statistics in  
15 Designing Experiments and Validating Models"?

16 A Yes, I did.

17 Q Another paper "Designing Optimal Heat Transfer  
18 Experiments"?

19 A Yes.

20 Q Another paper "Parameter Estimation in the  
21 Presence of Uncertain Parameters and with correlated data  
22 errors"?

23 A Yes.

24 Q Another paper entitled "An Overview of Stochastic  
25 Systems"?

1 A Yes.

2 Q Another one using "Using the Concept of  
3 Information to Optimally Design Experiments with Uncertain  
4 Parameters"?

5 A Yes.

6 Q Another one entitled "The Precision of Optimally  
7 Designed Experiments with Uncertain Parameters"?

8 A Yes.

9 Q Another one entitled "Optimal Experiment Design"?

10 A Yes.

11 Q Another one entitled "Computing the Variance using  
12 Different Approaches"?

13 A Yes.

14 Q Another one entitled "Parameter Estimation and  
15 Optimal Experiment Design with Uncertainties in a-prior  
16 Known Parameters"?

17 A Yes.

18 Q "Using the Modified Fisher Information Matrix to  
19 Design Experiments in the Presence of Uncertain  
20 Parameters"?

21 A Yes.

22 Q "Design of Experiments Using Uncertainty  
23 Information"?

24 A Yes.

25 Q And these -- the publications that these have been

1 transferred in range from the ASME Journal of Heat Transfer  
2 to Measurement Sciences and Technology referee journals?

3 A Yes.

4 Q Have you also done work for NASA?

5 A Yes.

6 Q And that was with respect to the space shuttle?

7 A Two different projects. One, radiative transfer  
8 from the space shuttle and large space structures, and one  
9 on the tiles.

10 Q Okay. And have you presented on the topics of  
11 statistics and experimental design internationally?

12 A Yes, I have.

13 Q Do some of your papers include, with respect to  
14 this, "Estimating Parameters using Griddy Markov Chain  
15 Monte Carlo"?

16 A Yes.

17 Q Another one "Accounting for Heat Losses Using  
18 Bayesian Inference"?

19 A Yes.

20 Q "The Use of Bayesian Inference, Monte Carlo,  
21 Markov Chain Monte Carlo, and Gaussian Processes in  
22 Designing Experiments and Analyzing Data"?

23 A Yes.

24 Q Did you in 2003 have one of your papers "Measured  
25 and Predicted Temperatures of Automotive Brakes" chosen as

1 one of the outstanding papers of the year?

2 A Yes.

3 Q Now, you said that you were a professor of  
4 mechanical engineering. Are you also a metrologist?

5 A Yes.

6 Q And what is a metrologist?

7 A Science and measurements. It's the study of the  
8 science and measurements.

9 Q Okay. And as part of that, you need to have an  
10 understanding of statistics, correct?

11 A Yes, I do.

12 Q A mastery of statistics?

13 A Yes.

14 Q But simply because someone knows statistics  
15 doesn't make them a metrologist, does it?

16 A No, it does not.

17 Q To be a metrologist, is one of the things you  
18 would have to know is an understanding of how to design  
19 measurements to measure specific quantities of interest?

20 A Yes.

21 Q How to properly read and record data?

22 A Yes.

23 Q How to interpret data?

24 A Yes.

25 Q How to subject that data to proper statistical

1 analysis?

2 A Yes.

3 Q How to properly report -- I'm sorry -- how to  
4 properly report the statistical characteristics of data?

5 A Yes.

6 Q I'm going to hand you what's been marked as  
7 Exhibit 63. Can you identify that for The Court, please?

8 A It looks like my biographical record.

9 Q Okay.

10 MR. VOSK: Your Honor, at this time, I would ask  
11 to have this admitted.

12 HEARINGS OFFICER KOEHLER: Mr. Garcia, any  
13 objection?

14 MR. GARCIA: No objection.

15 HEARINGS OFFICER KOEHLER: All right. Exhibit 63  
16 is admitted.

17 MR. VOSK: Your Honor, at this time I would also  
18 like to ask The Court to take judicial notice of what the  
19 Supreme Court's comment in *City of Seattle v. Clark-Munoz*  
20 where I refer to Dr. Ashley Emery as an expert in the  
21 science of metrology, which is the science of measurements.  
22 And I'm going to ask this Court to allow him to testify as  
23 an expert witness.

24 HEARINGS OFFICER KOEHLER: Mr. Garcia, do you have  
25 any objections to Dr. Emery being qualified as an expert

1 witness in metrology?

2 MR. GARCIA: No.

3 HEARINGS OFFICER KOEHLER: All right. Dr. Emery  
4 is so qualified.

5 MR. VOSK: Okay. Thank you, your Honor.

6 Thank you, Counselor.

7 Q (By Mr. Vosk) Now, when we're calculating the mean  
8 of a set of numbers and we don't care about their origin or  
9 anything, we can just take all those numbers at one time  
10 and throw them in a pot and do it in a purely mathematical  
11 way -- add them all up and divide by the total number that  
12 are there.

13 A That's called the arithmetic mean and it's  
14 commonly used.

15 Q Okay. And is -- that's what a math student might  
16 use where you just walk into class and are given a set of  
17 numbers?

18 A Typically, yes.

19 Q Okay. And if we were to do measurements on a  
20 single instrument and over the period of that time the  
21 instrument was stable, we'd be able to use that method to  
22 determine the mean of the data generated, wouldn't we?

23 A Yes.

24 Q Now, if we try the same exercise and collect the  
25 data on multiple different instruments, can we necessarily

1 do that anymore?

2 A Well, you can do it. If you're asking me, is that  
3 the correct procedure --

4 Q Then let me ask you that: Is that the correct  
5 procedure?

6 A No.

7 Q Okay. And why is it different?

8 A Because different instruments have different  
9 levels of precision.

10 Q Okay.

11 A And so you would normally weight the data  
12 according to the precision of the instrument.

13 Q Okay. And is it -- is this important -- can it be  
14 important?

15 A Well, you should weight them first. Yes, you  
16 should always weight them.

17 Q Okay. How would one determine whether or not  
18 there was a difference in the precision between  
19 instruments?

20 A You look at their standard deviation of the  
21 measurements that come from each instrument. It's  
22 gathering the data -- assuming that the data has a set  
23 value. During the calibration procedure, you'd be looking  
24 at the precision of the instrument.

25 Q Okay. And so to be scientifically valid during

1 this process, we would have to take a look at that?

2 A Yes.

3 Q Okay. Now, is there a solution if the machines  
4 have or show a different variance?

5 A You mean if the different instruments have  
6 different levels of precision?

7 Q Correct.

8 A Then you would weight them and there is a formal  
9 method for weighting them, yes.

10 Q Okay. And if it came out that the precision on  
11 each was the same, we could again go back to the purely  
12 mathematical method, couldn't we, in calculating a mean?

13 A Go back to the arithmetic mean, yes.

14 Q Okay.

15 A You'd get the same answer.

16 Q And with the differing precisions, we've got to do  
17 this weighting?

18 A Yes.

19 Q So, in essence, using a purely mathematical  
20 approach without weighting, it might be right in a given  
21 context, it might be wrong, but you'd never know using  
22 different instruments unless you calculated the precision?

23 A That's correct.

24 Q Okay. So the determination of a mean would be  
25 scientifically invalid unless the precision across those

1 instruments was accounted for?

2 A It would -- the weight mean is the accepted way to  
3 do it. I don't know what you mean by a scientifically  
4 invalid.

5 Q Okay.

6 MR. VOSK: Your Honors, can we have this marked?  
7 And can we have that marked?

8 HEARINGS OFFICER KOEHLER: All right. I've marked  
9 the documents that you've given me as Exhibit 64 and  
10 Exhibit 65.

11 MR. VOSK: Okay. And your Honor, pursuant to the  
12 WAC, I would just ask The Court to take judicial notice off  
13 of the website that these are, in fact, the certificates  
14 from the Washington State Patrol website and I'd asked to  
15 have them admitted at this point.

16 HEARINGS OFFICER KOEHLER: And they are the  
17 documents off the website for what?

18 MR. VOSK: For the simulator solution 07007, and  
19 they are the corrected -- let the record show I am using  
20 quotation marks, the "corrected" simulator solution  
21 certificates done by Mr. Gullberg and Trooper Dunn.

22 HEARINGS OFFICER KOEHLER: And have these not been  
23 entered into evidence before?

24 MR. VOSK: I don't know that these particular ones  
25 have, no. These were sent to me by Mr. Gullberg, which is

1 why I'm using them.

2 HEARINGS OFFICER KOEHLER: Mr. Garcia, do you have  
3 any objection?

4 MR. GARCIA: Well, I guess my query is -- my  
5 understanding was that all exhibits were to have been  
6 disclosed by Monday so that I'd have an opportunity to  
7 review these with the witness. And my query is what the  
8 relevance of 07007 is to this proceeding, this was not the  
9 batch in this case.

10 MR. VOSK: We're using data -- if your Honors  
11 remember at the last hearing, we informed The Court that we  
12 had just been provided information by Mr. Gullberg and we  
13 had not had a chance to review it. The information he  
14 provided was on all this solution 07007.

15 And so we figured if we were going to address the  
16 issues that we had raised and they were trying to say  
17 weren't issues, we'd go ahead and use the numbers that Mr.  
18 Gullberg's already done calculations on to make it easier.

19 HEARINGS OFFICER KOEHLER: Are you going to have  
20 Dr. Emery testify about these documents?

21 MR. VOSK: He'll testify to calculations --

22 HEARINGS OFFICER KOEHLER: All right.

23 MR. VOSK: -- on these documents, yes.

24 HEARINGS OFFICER KOEHLER: Why weren't they  
25 disclosed on Monday?

1 MR. VOSK: We disclosed the plots that -- the  
2 plots that we disclose to The Court were based on this.  
3 And we indicated that we would use all certificates, either  
4 provided and hadn't been marked or were on the website. It  
5 was in that e-mail that Mr. Bianchi sent.

6 HEARINGS OFFICER KOEHLER: All right. That was  
7 indicated in your disclosure from Monday where you  
8 indicated documents relied upon simulator solution  
9 certification worksheets and associated gas chromatograms  
10 available on WSP website and/or already marked as Exhibits  
11 2.

12 MR. VOSK: No, no, no. As exhibits, and then  
13 there's two.

14 HEARINGS OFFICER KOEHLER: Oh, I see it. Okay. I  
15 see. All right. I'll allow it.

16 MR. VOSK: Thank you, your Honor.

17 So we would move to admit Exhibit 64 at this  
18 point.

19 HEARINGS OFFICER KOEHLER: Exhibit 64 is admitted.

20 MR. VOSK: Okay. Did your Honors mark that?

21 HEARINGS OFFICER KOEHLER: 65.

22 MR. VOSK: I'm going to show this to the other  
23 side. I only have one but when he's done, he'll pass it to  
24 you. You'll see why there's only one.

25 Q (By Mr. Vosk) Dr. Emery, did I send you some

1 materials that I indicated had been sent to me by Mr.  
2 Gullberg?

3 A Yes, you did.

4 Q Okay. And did those materials related to  
5 Simulator Solution No. 07007?

6 A Yes.

7 Q And did I ask you to take a look at those -- at  
8 those solutions and do some calculations?

9 A Yes, you did.

10 Q Okay

11 MR. VOSK: Your Honors, I'm sorry. Can we mark  
12 this collectively as one exhibit?

13 HEARINGS OFFICER KOEHLER: And have you shown a  
14 copy of this to counsel?

15 MR. VOSK: Yes. This is what was -- these are the  
16 plots that were sent by Trooper Gullberg. So they've been  
17 e-mailed to everybody already.

18 HEARINGS OFFICER KOEHLER: All right. I'm marking  
19 the document that you handed me as Exhibit 66, and it  
20 includes five page.

21 MR. VOSK: Okay. Thank you.

22 Q (By Mr. Vosk) Okay. Dr. Emery, I'm going to hand  
23 you what's been marked as Exhibit 66. Are those materials  
24 that I also sent to you that I indicated had been provided  
25 by Trooper -- Mr. Gullberg?

1 A Yes.

2 Q Okay. And on page -- on Figure 1. Did you take a  
3 look at Figure 1?

4 A I'm looking at it.

5 Q Okay. Now, first off, it indicates that there are  
6 -- this is for three instruments. What does the plot  
7 actually indicate, three instruments or four?

8 A Four. I presume the numbers below refer to  
9 instruments, one, three, four, and five.

10 Q Okay. And on there it also refers to a reference  
11 value, does that appear to be the mean value?

12 A Yes, it does.

13 Q Okay. And, if I might --

14 MR. VOSK: Did your Honors print up the exhibits  
15 that we sent?

16 HEARINGS OFFICER BARTON: I did.

17 MR. VOSK: You did. Okay. Do you have the plots  
18 because I'll have him explain --

19 HEARINGS OFFICER BARTON: Does he have a copy?

20 MR. VOSK: Yes, he does.

21 Q (By Mr. Vosk) Now, when we look at that, what do  
22 the dots in that picture seem to indicate?

23 A They appear to indicate the average of a set of  
24 readings.

25 Q Okay. And what do those bars indicate?

1 A The bars are indicative of a standard deviation.

2 Q Okay. Now, some of the bars are smaller than  
3 others. What does that mean?

4 A That that instrument had less scatter in it's  
5 readings.

6 Q Okay. Now does that mean the measurements agree  
7 with each other more in those sets?

8 A Yes, it does, but at different -- less from the  
9 mean than others.

10 HEARINGS OFFICER KOEHLER: Counsel, because I  
11 don't want there to be any confusion --

12 MR. VOSK: Yes.

13 HEARINGS OFFICER KOEHLER: -- when people read  
14 this record, what I'd like to do is number the pages in  
15 Exhibit 66 --

16 MR. VOSK: Okay, your Honor, yes.

17 HEARINGS OFFICER KOEHLER: -- so you can refer to  
18 those on the record.

19 MR. VOSK: Certainly. Then I guess -- why don't  
20 we make the --

21 HEARINGS OFFICER KOEHLER: All right. The cover  
22 page is the narrative, that's page 1. And the graphs are  
23 numbered pages 2 through 5.

24 MR. VOSK: Thank you, your Honor.

25 HEARINGS OFFICER KOEHLER: So the graphs and the

1 narrative is Exhibit 66.

2 MR. VOSK: Correct.

3 HEARINGS OFFICER KOEHLER: All right.

4 MR. VOSK: I will try to pick it up a little bit,  
5 your Honor, I'm dragging a little bit.

6 Q (By Mr. Vosk) Taking a look at page 1, Figure 1 --

7 HEARINGS OFFICER KOEHLER: That's page 2.

8 Q (By Mr. Vosk) Page 2, Figure 1. Can you explain  
9 with respect to weighting when you look at that figure, how  
10 your discussion of weighting values applies?

11 A Instruments 4 and 5 have a larger standard  
12 deviation. It appears something in the order of three to  
13 four times the standard deviation for Instruments 1 and 3.  
14 Weighting is proportional to the square of a standard  
15 deviation, so if they were -- if experiment -- if  
16 Instrument 4 had three times the standard deviation of  
17 Instrument 1, it would be weighted 1/9 of the weight  
18 assigned in Instrument 1.

19 Q Okay. So when we're looking at that, in essence,  
20 what you're saying is the small bars representing greater  
21 precision are given more weight than the data sets with  
22 large bars which have less precision?

23 A That's correct.

24 Q Okay. Now, did I have you go through and -- on  
25 these four instruments that were identified here -- do

1 calculations -- calculate the mean based on a weighted  
2 average?

3 A Yes, you did.

4 Q And I'm going to hand you what has been marked as  
5 Exhibit 65. What do the numbers on Exhibit 65 represent  
6 at this point?

7 A Exhibit 65 lists the individual readings for  
8 Instruments 1, 3, 4, and 5. And then there are  
9 placeholders for calculational results.

10 Q Okay. And did you go through and do the  
11 calculations that you explained earlier for 07007?

12 A Yes, I did.

13 Q And did you bring those calculations with you?

14 A Yes, I did.

15 Q Can you fill in the spots on that blank indicating  
16 the calculations you made and then also provide The Court  
17 with a copy of your actual calculations?

18 A Yes, I can.

19 MR. VOSK: If we could just have a moment for him  
20 to do that, your Honor?

21 HEARINGS OFFICER KOEHLER: Yes.

22 THE WITNESS: And you want me to fill those  
23 numbers in --

24 MR. VOSK: Yes.

25 THE WITNESS: -- that's what you're asking me to

1 do.

2 MR. VOSK: And that's the weighting factor.

3 THE WITNESS: Yes.

4 HEARINGS OFFICER KOEHLER: Were you handing me a  
5 copy of 65?

6 MR. VOSK: I'm going to. As soon as he fills it  
7 out, I'm going to hand it to you to admit. This is the  
8 actual calculations he did. I'd like to have that marked.

9 HEARINGS OFFICER KOEHLER: Okay. I'm marking the  
10 document you just handed me as Exhibit 67. It's a  
11 three-page document.

12 THE WITNESS: And do you want the weighting  
13 factors associated with these?

14 MR. VOSK: Sure.

15 And your Honors, as soon as he's done doing these,  
16 I'm going to have him explain the calculations that he's  
17 doing so your Honors understand what it is he's done.

18 Q (By Mr. Vosk) Okay, Dr. Emery. Now, can you  
19 explain for The Court, what you just did, what those  
20 calculations mean?

21 A I calculated the average of the readings for each  
22 instrument, the standard deviation for each instrument --  
23 the standard deviation being the square root of the  
24 variance for each one of the instruments -- for Instruments  
25 1, 3, 4, and 5. And then I assigned a weight to the

1 average of each instrument being one over the variance.

2 And I weighted the means by those weights and I obtained a

3 weighted mean, and I obtained an arithmetic mean which

4 assumes that all instruments have equal weight.

5 Q And what was the value for the weighted mean?

6 A The value for the weighted mean was .10198.

7 Q Okay. And if we round that to four digits, what

8 is that?

9 A It would be 1020.

10 Q And what was the arithmetic mean rounded to four

11 digits?

12 A Arithmetic mean rounded to four digits, 1018.

13 Q And was that the mean that was reported for

14 solution 07007?

15 A I will have to double check. Yes, it is.

16 Q Okay.

17 A You're assuming -- I assume that you're asking off

18 of the document that came from the website?

19 Q That's correct.

20 A Yes.

21 Q And what is the difference between the two?

22 A .0002.

23 Q Okay.

24 MR. VOSK: Now, your Honors, I would ask at this

25 point to have Exhibit 65 and Exhibit 67 admitted, the

1 calculations.

2 HEARINGS OFFICER KOEHLER: Are 67 his handwritten  
3 calculations?

4 MR. VOSK: That's correct. So The Court will have  
5 the work before it so it can check to make sure he didn't  
6 do something wrong.

7 HEARINGS OFFICER KOEHLER: Mr. Garcia, do you have  
8 any objections?

9 MR. GARCIA: The objection would be that these  
10 were not disclosed in advance so that we could check them  
11 prior to the hearing. This is exactly the reason. This  
12 was supposed to be disclosed by Monday.

13 MR. VOSK: Well, your Honor, I didn't have Mr.  
14 Emery do this until last night, is the first point. The  
15 second point, when we came in last week, we had just been  
16 disclosed a bunch of documents by Mr. Gullberg. We had  
17 asked for some extra time so we could take a look at what  
18 they meant. The Court did not give us that time. At this  
19 time, these calculations are exactly what we needed the  
20 time to check.

21 When I called Ashley Emery yesterday, I requested  
22 -- I asked him if he could do the calculations so The Court  
23 would have them, and he said yes. There was no intent not  
24 to provide anybody -- I just thought it would be better to  
25 do this for The Court.

1 HEARINGS OFFICER KOEHLER: Has Mr. Gullberg been  
2 able to check the calculations? I see that he's sitting  
3 next to you, counsel.

4 MR. GARCIA: Yes, your Honor. I've asked him to  
5 go through them. And he's already identified one or two  
6 issues that we have with them. We'll have to discuss them  
7 outside. My problem is, that's exactly -- the fact that it  
8 causes problems for counsel, I don't think is relevant.  
9 The Court made an order, and I think The Court should  
10 enforce its order on the basis that counsel doesn't get to  
11 decide, well, I really need the information.

12 Obviously, this is prejudicial to our ability to  
13 cross examine Dr. Emery. I mean, we gave this information  
14 to him over a week ago. It's now two weeks ago that he  
15 received this information and last night he asked his  
16 expert to review the data. So we don't think that it's  
17 fair to suggest to The Court that somehow he need the  
18 additional time of this week, eliminating a couple of days.

19 But aside from that, your Honor, there's the issue  
20 of relevance. I still don't understand how Dr. Emery's  
21 examination of the solution that doesn't have anything to  
22 do with this case is relevant. So I guess ultimately when  
23 we're done and we ask Mr. Emery at the end, how are they  
24 going to be able to tie his theory to this case. And  
25 that's the difficulty I'm having. I mean, aside from his

1 calculations and whether they're wrong or right, how is he  
2 going to be able to say that this had any affect on the  
3 breath-test result in this case.

4 MR. VOSK: This is how we're going to tie it in,  
5 your Honor. A couple of things, first with respect to  
6 having provided us materials last week, it is very  
7 intellectually dishonest for counsel to try to put up any  
8 wall of defense based on that, because when we came in here  
9 last week and said, well, we'd like a little extra time to  
10 consider it, he was pushing to go forward and was  
11 unrelenting. So we've had a week for me to allow Mr.  
12 Emery to take a look at these. We got together over the  
13 weekend and spoke about them.

14 In your order -- what your order indicated was  
15 that we were supposed to supply all materials that he was  
16 going to be relying upon. He's relying upon the  
17 certifications, the plots, the data. What is here is the  
18 work that he produced in reliance upon these things. If  
19 you would like -- and the reason I had him do these last  
20 night was, I was going to have him come in here and do this  
21 right here in front of you, and he can, but it was going to  
22 take forever.

23 HEARINGS OFFICER KOEHLER: Okay. I'm holding up  
24 my hand. Now, do I understand that you used 0007 because  
25 those were -- that was the batch that Mr. Gullberg had

1 originally used when he was providing you information?

2 MR. VOSK: Correct. It was a batch provided by  
3 Mr. Gullberg. And what this is -- and we're going to tie  
4 this into specific batches in this case as well -- it was a  
5 demonstration that their calculation of the mean is not  
6 being done correctly.

7 I could have chosen any certification to do it; I  
8 chose to rely on Mr. Gullberg's, because I would assume  
9 that if he's sending me this this is his best example. So  
10 I figured, okay, let's see if his best example gets around  
11 the problems that we found. And when we did the  
12 calculations, it didn't. That's why we used it.

13 HEARINGS OFFICER KOEHLER: Okay.

14 MR. VOSK: So it was relied upon by their expert  
15 and provided to us.

16 HEARINGS OFFICER KOEHLER: All right. I am going  
17 to allow the testimony and when Dr. Emery's testimony is  
18 completed, I'll determine whether or not the exhibits will  
19 be admitted.

20 MR. VOSK: Okay.

21 HEARINGS OFFICER KOEHLER: And I'm referring to  
22 Exhibit 65 and 67.

23 MR. VOSK: I guess, your Honor, if that's going to  
24 be the ruling, then I'll have him -- I can just have him  
25 right now go through and do the calculations, but that's

1 going to -- I mean, it's going to bog us down for 20 to 25  
2 minutes. I was honestly trying to facilitate the Court.

3 HEARINGS OFFICER KOEHLER: It is demonstrative  
4 evidence and he can rely on it during his testimony and  
5 then, as I indicated --

6 MR. VOSK: Well, let me ask this then, your Honor,  
7 the conclusions at least, because it is -- those are the  
8 written conclusions of our expert witness based on the  
9 material supplied by the State's expert witness -- the  
10 conclusions at least should be admissible. If you don't  
11 want to accept the calculations so that you can examine  
12 them, I guess that's your choice. But his opinions -- his  
13 expert opinion as he's written down on that paper -- you've  
14 already called him an expert -- that is admissible.

15 HEARINGS OFFICER KOEHLER: But he's going to  
16 testify to it.

17 MR. VOSK: Well, that -- well, he did just testify  
18 to the numbers. He's given those to you and now he's going  
19 to explain what they mean. If you want him to go through  
20 and indicate each number, I just thought this would be an  
21 easier way for you to have it right in front of you. I'm  
22 not trying to play games. I'm trying to make it easier for  
23 you.

24 HEARINGS OFFICER KOEHLER: Well, go ahead and have  
25 him testify --

1 MR. VOSK: Okay.

2 HEARINGS OFFICER KOEHLER: -- to what they mean.

3 Q (By Mr. Vosk) Can you go through this, Dr. Emery?

4 For each instrument indicate which analysts were included

5 for each instrument; read off each data figure that was

6 there; then give us the number of measurements that were

7 there, the mean, the standard deviation, and the weighting

8 factor for each instrument, please.

9 A Yes, I can. Do you want me to?

10 Q The Court does want you to.

11 Mr. Garcia: And I'm going to object. And I'm

12 going to indicate that it's a waste of The Court's time to

13 have him indicate the data. It is sufficient that he gives

14 The Court his opinion on the data. And we intend to cross

15 examine him on the basis for his opinion. I don't think

16 that it's necessary for The Court to hear each data point.

17 HEARINGS OFFICER KOEHLER: All right. I'm going

18 to reverse myself on Exhibit 65. I'm going to allow that

19 into evidence, because I do believe that it will be helpful

20 to the trier of fact and it will eliminate a lot of time

21 that we would otherwise have to spend. So 65 is admitted.

22 Q (By Mr. Vosk) Mr. Emery can you just then for each

23 instrument indicate what mean you found, what the standard

24 deviation was, and the weighting factor. Just those three

25 numbers for each instrument.

1 A For Instrument 1, 20 readings. The mean was  
2 0.10285; the standard deviation was 0.67082 times 10 to the  
3 minus third; the weighting factor was 2.222222.

4 MR. VOSK: And your Honors, do you want him to go  
5 through and read that or are you willing to just accept  
6 what's written.

7 HEARINGS OFFICER KOEHLER: Yes.

8 Q (By Mr. Vosk) Okay. Can you now -- did you --  
9 after you calculated the means, did you divide those by  
10 1.23? Did you do that calculation?

11 A No, I did not.

12 Q Would you be able to do that calculation for The  
13 Court here today?

14 A Yes.

15 Q Would you, please, using means rounded to four  
16 digits?

17 A You want me to take the weighted mean and divide  
18 it by 1.23?

19 Q Right. The weighted mean rounded to four digits.

20 A You want me to round it before dividing or after  
21 dividing?

22 Q Before dividing.

23 A The first reading would be -- for the weighted  
24 mean, it would be .08291.

25 Q Can you write that down?

1 A Yes, I can.

2 Q And what would the answer have been without  
3 rounding, without --

4 A .082911.

5 Q So just an extra one?

6 A Yes.

7 HEARINGS OFFICER BARTON: Counsel, can you just  
8 say -- what's he calculating right now?

9 MR. VOSK: What he's doing -- I'm not sure if the  
10 testimony was here or it was in Skagit -- when you take the  
11 -- and I think -- I believe it was in Skagit -- when you  
12 take the solution concentration, you divide it by 1.23 and  
13 that will give you the vapor concentration.

14 So the number that we're looking at, for instance,  
15 in the memo from August of 2007 where it talks about the  
16 breath-test readings either being bumped up or knocked down  
17 because there was an improper number in the fourth decimal  
18 place, it's the vapor concentration that's he's calculating  
19 now, which is that number.

20 HEARINGS OFFICER BARTON: Thank you.

21 Q (By Mr. Vosk) And so for the unweighted mean, what  
22 did you come up with?

23 A 0.08279.

24 Q Okay. And what is the difference between those  
25 two, the absolute difference?

1 A .0001.

2 Q So one ten-thousandth of -- one ten-thousandth?

3 A Yes.

4 Q Okay.

5 MR. VOSK: Your Honors, can I please mark this.

6 HEARINGS OFFICER KOEHLER: Okay. We're looking at  
7 Exhibit 21 and it looks like part of this other exhibit  
8 that you've handed me is in Exhibit 21. Is this the --

9 MR. VOSK: Does 21 include the chromatogram -- I  
10 didn't think -- so what this would be -- this is meant to  
11 be then -- if 21 is 07004, this is meant to include all of  
12 the chromatograms in addition to it.

13 This is -- Mr. Trombold printed this. Mr.  
14 Trombold printed this with two pages -- I mean, subsequent  
15 pages. So if it looks different, that's why.

16 HEARINGS OFFICER KOEHLER: All right. I'm marking  
17 this document as Exhibit 68.

18 MR. VOSK: Okay.

19 MR. TROMBOLD: Thank you, your Honors.

20 Q (By Mr. Vosk) Now, Dr. Emery, if you take a look  
21 at what's been marked as Exhibit 6.

22 A Yes.

23 Q Does it indicate what batch number that is for?

24 A This is not marked?

25 Q Oh, this is 68.

1 A Exhibit 68 indicates it's for batch 07004.

2 Q Okay. And for that batch, if you can take a look  
3 at the gas chromatograms as we go back, were three  
4 different instruments used?

5 A No, it was only one instrument.

6 Q Okay. What I'm talking about is a gas  
7 chromatogram for each separate analyst. If you can turn to  
8 page -- this first page for Sarah Swenson. What instrument  
9 does it indicate that she used?

10 A Instrument No. 5.

11 Q Okay. And if we turn further back to analyst  
12 Paige Long, what instrument does it indicate that she used?

13 A She used Instrument No. 4.

14 Q Okay. And if you can turn back to analyst  
15 Estuardo Miranda, what instrument does it indicate that he  
16 used?

17 A He used Instrument 1.

18 Q Okay. So each of these used a different  
19 instrument?

20 A That's correct.

21 Q Okay. Can you then -- since we've only got three,  
22 can you, for The Court, right now go ahead and do for this  
23 certificate what you did for the longer one, right now?

24 A Yes, I could. I've done -- I also did that last  
25 night.

1 Q Oh, you did that in advance. Okay. Can you  
2 report then for --

3 MR. VOSK: And at this point, your Honor, I would  
4 ask to admit Exhibit 68, again from the WAC. It's judicial  
5 notice extracted from the website.

6 HEARINGS OFFICER KOEHLER: Mr. Garcia, do you have  
7 any objection?

8 MR. GARCIA: No objection.

9 HEARINGS OFFICER KOEHLER: All right. Exhibit 68  
10 is admitted.

11 Q (By Mr. Vosk) For Instrument 1, did you come up  
12 with a mean?

13 A Yes, I did. It's 0.1298.

14 Q And did you come up with a standard deviation?

15 A It's  $.4472 \times 10$  to the minus third.

16 Q Okay. And did you come up with a mean and a  
17 standard deviation for the other two instruments as well?

18 A Yes, I did.

19 Q And are those calculations there before you?

20 A Yes, they are.

21 Q Can you hand those to me, please.

22 MR. VOSK: Your Honors, can we get this marked?

23 HEARINGS OFFICER KOEHLER: I'm marking these  
24 hand-calculations regarding batch 07004 as Exhibit 69.

25 Q (By Mr. Vosk) And what's been marked as Exhibit

1 69, do they represent the same analysis on that solution as  
2 you did to the previous one?

3 A Yes, they do.

4 Q And did you come up with a weighted mean for that?

5 A Yes, I did.

6 Q And what was the weighted mean?

7 A 0.1287.

8 Q Okay. And what was -- did you come up with an  
9 arithmetic mean?

10 A Yes. And it was 0.1289.

11 Q Okay. And can you divide each of those numbers by  
12 1.23?

13 A Yes, I can. The weighted mean divided by 1.23 is  
14 .104634.

15 Q And can you indicate -- can you put that down on  
16 your calculations?

17 A (Witness complies.)

18 And the arithmetic mean divided by 1.23 is  
19 0.104821. And the difference, if that's what you'd look  
20 for --

21 Q I was going to ask you the difference.

22 A The difference is, rounded to four places, 0002.

23 Q Okay. So there the difference between the  
24 weighted mean and the arithmetic mean is twice as much as  
25 the last one, two ten-thousandths?

1 A Yes, it is.

2 Q Okay.

3 MR. VOSK: And your Honors, for the same reason as  
4 the first one, I'd simply ask to have Exhibit 69 admitted.

5 MR. GARCIA: Same objections as before.

6 HEARINGS OFFICER KOEHLER: All right. Exhibit 69  
7 is admitted.

8 Q (By Mr. Vosk) And did you do a similar set of  
9 calculations for simulator solution batch 6012?

10 A Yes, I did.

11 Q And can you hand those to me, please.

12 MR. VOSK: Your Honors, we're handing you two  
13 exhibits to be marked.

14 HEARINGS OFFICER KOEHLER: All right. I'm marking  
15 some hand-calculations for batch 06012 as Exhibit 70. And  
16 I'm marking what appears to be the Washington State Patrol  
17 printouts for batch 06012 including chromatograms as  
18 Exhibit 71.

19 MR. VOSK: Thank you, your Honor.

20 Q (By Mr. Vosk) Looking at Exhibit 70, did you do  
21 the same calculations on that batch as you did on the prior  
22 two?

23 A Yes, I did.

24 Q And the mean, the standard deviations, and the  
25 weighting factors indicated there, they have the same

1 meaning that those done in your prior calculations did?

2 A Yes, they do.

3 Q And did you compute a arithmetic mean?

4 A Yes, I did.

5 Q And what was the arithmetic mean?

6 A 0.126667.

7 Q And did you compute a weighted mean?

8 A Yes, I did. That is 0.12702.

9 Q And did you calculate the equivalent vapor  
10 concentration for each of those?

11 A Yes, I did.

12 Q And what is that?

13 A .102981 for the arithmetic average; .103268 for  
14 the weighted mean.

15 Q So rounded to four digits?

16 A The absolute difference is .0003, rounded to four  
17 decimal places.

18 Q Okay. So now that's three times greater than the  
19 first one we saw. We're now up to three ten-thousandths?

20 A Yes.

21 Q Okay.

22 MR. VOSK: Your Honor, I would move to admit  
23 Exhibit 70 and 71.

24 HEARINGS OFFICER KOEHLER: Mr. Garcia?

25 MR. GARCIA: 71 is the calculations?

1 HEARINGS OFFICER KOEHLER: 71 is the  
2 hand-calculations for batch 06012.

3 MR. GARCIA: I'll just request standing objection  
4 to newly discovered, newly disclosed evidence that we  
5 haven't had an opportunity to review.

6 HEARINGS OFFICER KOEHLER: I'm sorry. Exhibit 70  
7 is the hand-calculations. 71 is the documents from the  
8 Washington State breath-test site.

9 Did you have any objection to 71?

10 MR. GARCIA: I'd request a standing objection to  
11 any newly disclosed exhibits that Defense is offering. I  
12 understand The Court's intention is to admit them.

13 HEARINGS OFFICER KOEHLER: All right. Exhibits 70  
14 and 71 are admitted.

15 MR. VOSK: And we have one outstanding exhibit,  
16 Your Honor, the calculations that Dr. Emery did for 07007.  
17 You've got the results in front of you, the three pages of  
18 actual calculations. I would move to admit. If your  
19 Honors wanted to examine them to see what the process is --  
20 I think they are relevant if --

21 HEARINGS OFFICER KOEHLER: 67 was the hand-written  
22 calculations for batch 07007.

23 MR. VOSK: Yes. And you've got the results on a  
24 sheet on 65. And those are just what was used to calculate  
25 those.

1 HEARINGS OFFICER KOEHLER: All right. And exhibit  
2 -- same standing objection, Mr. Garcia?

3 MR. GARCIA: Yes.

4 HEARINGS OFFICER KOEHLER: All right. Exhibit 67  
5 is admitted.

6 MR. VOSK: Thank you, your Honor.

7 Q (By Mr. Vosk) When we talked -- you were here last  
8 week, Dr. Emery. Did you hear Mr. Gullberg speak about  
9 consensus values?

10 A Yes, I did.

11 Q And the weighted means that you just calculated,  
12 are those consensus values?

13 A Yes.

14 Q And are those the correct way to calculate a  
15 consensus value?

16 A Yes.

17 Q And is it true that generally speaking, neither a  
18 grand average of all measurements nor the average of  
19 measurements of an individual set will necessarily be the  
20 same as the consensus value?

21 A A consensus value defined to be the weighted  
22 average, the arithmetic average, need not be the same as  
23 the weighted average.

24 Q Okay. Now when we make measurements --

25 MR. VOSK: I'm sorry, your Honors, just give me a

1 second.

2 Q (By Mr. Vosk) When we make measurements, do we  
3 often have a preconceived notion of what the value we're  
4 measuring should be?

5 A Yes, frequently but sometimes not.

6 Q Okay. Well, in those occasions when we know or we  
7 have this notion of what the value should be then, why do  
8 we do any measurements?

9 A Well, because typically you only have an  
10 approximate idea of what it should be. If I give an  
11 example, your heighth. I might guess that you're 5 foot  
12 nine or something like that, but I'm a pretty poor judge of  
13 heighth.

14 Q Okay. And so --

15 A So I measure you.

16 Q And so we do measurements because we might be  
17 wrong?

18 A Yes.

19 Q Okay.

20 A Because your preconceived ideas might be wrong.

21 Q Okay. Now, if we simply blindly reject any data  
22 that doesn't conform to those preconceived ideas, is that  
23 an appropriate scientific procedure methodology?

24 A Usually you establish a criterion by which you  
25 reject data.

1 Q Okay.

2 A It's probably the most difficult aspect of  
3 laboratory measurements.

4 Q Okay. And why -- why is that difficult?

5 A Well, because a data point which is far from the  
6 average or far out of your expectation can be the result of  
7 simply an inaccurate measurement, an instrument which is  
8 not precise enough, a mistake recording data, or it may  
9 actually be telling you something about the readings that  
10 you're making.

11 And so ignoring it, you may lose an opportunity to  
12 understand more fully what's happening; but on the other  
13 hand, there are statistical techniques for making a  
14 decision as to when to ignore something, but they all take  
15 risk that you're throwing away something that's valuable.

16 Q Okay. Now, if we don't utilize those statistical  
17 techniques, if we just throw it away -- if we just discard  
18 any number that disagrees with our initial preconceived  
19 ideas, don't we almost guarantee that we're going to get  
20 the answer we wanted to get in the first place?

21 A Well, if you carry it out long enough, yes, but  
22 then you might ask yourself, why do the experiment.

23 Q Okay. So then when we talk about throwing out  
24 data, are we talking about something called an outlier?

25 A Yes, that's the typical term used.

1 Q Okay. Now, in general terms, can you  
2 qualitatively tell us what an outlier is?

3 A An outlier is one or more -- outlier or outliers  
4 are one or more data points which on first inspection  
5 appear to be unusual and either the result of a  
6 transcribing error or a variation in the result.

7 Sort of like if you get up and weigh yourself in  
8 the morning, and you've been weighing yourself all week,  
9 and every morning you weigh within one pound and suddenly  
10 one time you stand up there and it weighs four pounds  
11 different. It may be that you're actually four pounds  
12 different weight or it may be you stood on the scales in a  
13 funny way. And so what you do is that you do the  
14 experiment again.

15 Q Okay. Now, just because the measurement deviates  
16 though, doesn't mean it's a bad measurement?

17 A No, it does not.

18 Q And so before discarding that measurement, how do  
19 we determine whether or not it is an outlier?

20 A Well, there are a number of different statistical  
21 criteria which are used. The one that's taught most often  
22 to students is Chauvenet's criteria. And that one says  
23 that you're willing to take a risk of one over two times  
24 the number of readings when you throw it out. So if you  
25 have 10 readings, 1/10 is 1/20 or 5 percent. So if you

1 throw -- if that one is judged to be an outlier by  
2 Chauvenet's criteria, you're taking a 5 percent risk of  
3 throwing away something that really matters.

4 Q Okay.

5 A Or, another way to look at it -- this way you're  
6 95 percent sure it's an unusual reading that just occurred  
7 by accident.

8 Q Okay. And when you're computing that number, are  
9 you using any of the quantities that we've previously  
10 looked at -- a mean, a standard deviation?

11 A Yes. If you're looking at the distance from the  
12 mean, the deviation from the mean in terms of number of  
13 standard deviations. So if the standard deviation is one  
14 and the reading is three from the mean, that means it's  
15 three standard deviations. If the standard deviation were  
16  $1\frac{1}{2}$ , it would be two standard deviations away from the  
17 mean. And then there are tables which tell you -- give  
18 different levels of probability when you can throw  
19 something out.

20 Q Okay. So then I'm going to try to -- I'm going to  
21 try to put this in a -- make this a little bit clearer. So  
22 if we've got a number that we think is an outlier, what  
23 you're saying is the first step in the process is, we'll  
24 take that number and determine the difference between it  
25 and the mean of all the measurements?

1 A Yes.

2 Q Okay. And then we divide that difference by the  
3 standard deviation?

4 A Yes.

5 Q And then depending on what kind of criteria we  
6 want to use, it's that ratio which is going to determine  
7 whether or not the value is an outlier?

8 A Yes.

9 Q Okay. Now, with respect to that ratio, could  
10 somebody use 1.5 as a criteria to throw something out as an  
11 outlier?

12 A Sure.

13 Q Could they use 2?

14 A Yes.

15 Q Could they use 2.5?

16 A Yes.

17 Q Could they use 4?

18 A Yes.

19 Q Essentially, when you're trying to establish the  
20 criteria then, you can define the criteria you want to use?

21 A Yes. You'll have to argue for its support, but...

22 Q Okay. And different authorities recommend  
23 different numbers?

24 A Yes. There are three. Three of the most common  
25 criteria are Naire's, Pierce's and Chauvenet's. And they

1 come up with slightly different criteria.

2 Q Okay.

3 A And they're all -- they're generally expressed in  
4 terms of what probability are you willing to accept that  
5 you're throwing something out which is really a good value.

6 Q Okay. But regardless of which one you're going to  
7 use for a set of measurements, we have got to ensure that  
8 we're always using the same criteria, correct?

9 A Yes. And you can only apply in once.

10 Q Okay.

11 HEARINGS OFFICER KOEHLER: We're looking for  
12 Exhibit 64.

13 MR. VOSK: I apologize to The Court.

14 Q (By Mr. Vosk) I'm going to hand you what's been  
15 admitted as Exhibit 64.

16 Can you look at the readings for analyst 14?

17 A Yes.

18 Q Can you report to The Court what those five  
19 readings are?

20 A In order they're .106, .100, .099, .100, and .099.

21 Q And would you be able to determine based on what  
22 you've just testified to whether or not the .106 in that  
23 set of data is an outlier or -- let me put it another way:

24 Can you calculate the ratio?

25 A Yes, I could.

1 Q And will you please do that for The Court?

2 A So I have to calculate everything. Okay -- I  
3 guess I better turn it on.

4 Q And can you write your calculations down for The  
5 Court as well, please.

6 A The average is .1008. The standard deviation is  
7 0.00295. And I just want to double check that, if you  
8 don't mind. Yes, the standard deviation is 0.00295.

9 And you're asking me how far the 106 is away?

10 Q Yes. I'm asking for that ratio you spoke about  
11 earlier, the ratio between the difference between the  
12 suspected outlier and the mean to the standard deviation.

13 A And the ratio is 1.763. And this is for analyst  
14 14 and batch 07007. Okay.

15 Q Okay. Now I'm going to hand you what been marked  
16 as Exhibit 72. Can you identify what batch number that is?

17 A That's batch 07023.

18 Q And in that -- Kelly Gross, on the test that she  
19 discarded -- previously testified about -- can you tell The  
20 Court --

21 HEARINGS OFFICER KOEHLER: Counsel, what -- you  
22 said that she previously discarded. Are you referring to a  
23 particular exhibit?

24 MR. VOSK: This is batch number 07023. In Skagit  
25 County, I think the only thing that was admitted as the

1 initial spreadsheet and Ms. Gross' discarded data. We're  
2 just submitting the whole thing for completeness.

3 HEARINGS OFFICER KOEHLER: Okay. So when you're  
4 referring to what was previously discarded, that's what --

5 MR. VOSK: Yes, that's what I'm referring to. The  
6 values were .101, .101, .108, and .101, and .102.

7 HEARINGS OFFICER KOEHLER: Which analyst is she?

8 MR. VOSK: That's Kelly Gross. She is analyst 15.

9 HEARINGS OFFICER KOEHLER: Thank you.

10 MR. VOSK: And so it won't be the values that  
11 appear in the spreadsheet, they are actually the values  
12 that were discarded by her.

13 Oh, I'm sorry, your Honor. Previously, if you  
14 recall last week, we spoke about data that instead of being  
15 entered into the spreadsheet, they were stuck into a folder  
16 and not entered, and they would do a second run.

17 And if you read the Skagit County decision, this  
18 was where the -- this was one of the tests the Judges  
19 discussed that indicated it caused them some concern. We  
20 had testimony on it last week, and I'm just going to have  
21 Dr. Emery calculate the ratio for this one as well.

22 HEARINGS OFFICER BARTON: So I'm just seeing one  
23 that was discarded. Is there more than one?

24 MR. VOSK: Right. What she did was she discarded  
25 her data set and then reran a new five.

1 HEARINGS OFFICER BARTON: Well, just the one  
2 chromatogram. I see that she --

3 MR. VOSK: If you look, she's got two sets of five  
4 in here. And what the testimony showed in Skagit County  
5 was that when they get -- when they get something that they  
6 folder, they rerun the whole thing. And so when she  
7 crosses this out and says rejected because of the .108,  
8 what that means is she rejected all five data --

9 HEARINGS OFFICER BARTON: Aliquots?

10 MR. VOSK: All five aliquots that were collected  
11 at that time and redid it with a new five.

12 HEARINGS OFFICER BARTON: Okay. But you agree  
13 with me that just one has been crossed out?

14 MR. VOSK: That's correct, but the other one --  
15 the rest of the batch wasn't included in her data here.  
16 What she did was she reran a new five and you have --  
17 because you'll find ten actual aliquets measured in there.

18 HEARINGS OFFICER BARTON: In Exhibit 72, do we  
19 have 10 chromatograms?

20 MR. VOSK: Yes.

21 HEARINGS OFFICER BARTON: Okay.

22 MR. VOSK: Actually, I think you'll probably have  
23 12 because they'll be a control and a blank.

24 HEARINGS OFFICER BARTON: Thank You.

25 MR. VOSK: They'll be 14, because they will be two

1 controls and two blanks for each one. Each time they run  
2 the five aliquets, there's a control that goes along with  
3 it to make sure that the -- they try to make sure the  
4 machine is reading the control properly and then a blank so  
5 it can try to get a zero.

6 HEARINGS OFFICER BARTON: Thank you.

7 Q (By Mr. Vosk) Now with those numbers, can you go  
8 ahead and do with this one -- I'm sorry. The numbers we  
9 have are .101 --

10 A Okay. 0.1 --

11 Q -- .101 --

12 A -- well, .101 --

13 Q .101.

14 A 101.

15 Q .108.

16 A 108.

17 Q .101.

18 A 101.

19 Q And .102.

20 A Okay.

21 HEARINGS OFFICER BARTON: And where do those  
22 numbers come from?

23 MR. VOSK: They come from the chromatograms that  
24 were discovered.

25 HEARINGS OFFICER BARTON: Where? Where do those

1 particular numbers come from?

2 MR. VOSK: Okay. If you'll notice, rejected due  
3 to a .108. Where that's crossed out that's the control,  
4 because at the top right-hand corner, you'll see a .10  
5 control.

6 HEARINGS OFFICER BARTON: Okay.

7 MR. VOSK: The next one is a blank. Then you  
8 begin getting the aloquets -- .101 -- right down here,  
9 that's your measurement.

10 HEARINGS OFFICER BARTON: How do you know that  
11 those were not included?

12 MR. VOSK: They're not on the front and they're  
13 from Instrument 1 here, all run together. You can tell by  
14 the times and the instrument. The data that she collected  
15 that was included was from Instrument 3. And because of  
16 her testimony -- she didn't testify, no. So it's just from  
17 the chromatographs. These are from Instrument 1. These  
18 are from Instrument 3. The ones from Instrument 3 were the  
19 ones that were not discarded.

20 HEARINGS OFFICER BARTON: Thank you.

21 MR. VOSK: And that was, as a matter of fact, the  
22 testimony up in Skagit is that that entire set was  
23 discarded.

24 HEARINGS OFFICER BARTON: Did somebody else  
25 testify to that?

1 MR. VOSK: I believe it was Dr. Logan or somebody  
2 else because we spoke to them about it and they said yes,  
3 this is what happens and it was admitted in Skagit.

4 HEARINGS OFFICER BARTON: Okay. Thank you.

5 MR. VOSK: And we just moved to admit Exhibit 72.

6 HEARINGS OFFICER KOEHLER: Counsel, same  
7 objection?

8 MR. GARCIA: No, your Honor. I mean, this is on  
9 the website, so I'm assuming that this is complete and it  
10 is what it appears to be.

11 HEARINGS OFFICER KOEHLER: All right. Exhibit 72  
12 is admitted.

13 Q Now, what did you get for a mean of those five  
14 measurements?

15 A .1026.

16 Q What did you get as a standard deviation?

17 A 0.00305.

18 Q And what did you get as the ratio of the  
19 difference between your suspected outlier and the mean to  
20 the standard deviation?

21 A 1.77.

22 Q Okay. And so the difference between those two  
23 when we're taking a look at it, just the ratio not as an  
24 absolute number, 1.76 to 1.77; is that correct?

25 A Yes.

1 Q Now, we've had testimony that the second set of  
2 data was discarded. Based on the number there, could  
3 somebody using appropriate criteria have decided to discard  
4 that set of data because the 108 was an outlier?

5 A Depending on what criterion they wanted, yes.

6 Q Okay. Now in the first number, with respect to  
7 07007 that .106, that was not discarded. Now depending on  
8 what criteria somebody wanted to use, it would be  
9 appropriate not to discard that, wouldn't it be?

10 A Yes.

11 Q But in looking at the data, does there appear to  
12 be a uniform criteria that's been applied to these two  
13 tests?

14 A Well, first of all, I know nothing about the  
15 decision to accept or to reject. And I don't know if they  
16 apply any criteria to make this decision.

17 Q Would you have treated these numbers differently?

18 A That 176 and 177, I would probably have thought  
19 that they were the same --

20 Q Okay.

21 A -- but they're not substantially different from  
22 each other.

23 Q So then if one had been rejected, in your  
24 opinion, the other should have been rejected?

25 A If the criteria for rejection rejected one, the

1 other one, I would think so. If the criteria were to  
2 accept one, the other one should have been accepted.

3 Q Okay. And so from that, do you see apparent  
4 there any type of criteria that was utilized?

5 A I don't know if they utilized any criteria. I  
6 don't have any access to what they do in the lab. Plus  
7 there's no documentation that I was given that said that  
8 they evaluated this ratio.

9 Q Okay. Would it have been -- in order to determine  
10 whether or not it was an outlier however, the correct --  
11 the scientifically accepted procedure would have been what  
12 you've just done.

13 A It would have been performed -- the ratio of the  
14 deviation from the mean to the standard deviation and then  
15 compare it to whatever criterion you want. Some criteria  
16 might be 1 1/2 standard deviations and they're both  
17 rejected, other criterions would be 2 and they'd both be  
18 accepted. You just have to justify the criterion that you  
19 want.

20 Q Okay. So just to quickly summarize what we've  
21 done today --

22 MR. GARCIA: Objection; cumulative.

23 MR. VOSK: I just want to make clear what he's  
24 testified to, because I think there's been a lot of  
25 techno-speaks and I just to try to make it clear for the

1 Judges.

2 HEARINGS OFFICER KOEHLER: Sustained.

3 Can you ask a question that's close to --

4 MR. VOSK: Yeah. That's what I'm going to do.

5 HEARINGS OFFICER KOEHLER: All right.

6 Q (By Mr. Vosk) With respect to the calculation of  
7 the mean, the scientifically appropriate method to use in  
8 this context, is to use a weighted mean?

9 A Yes, it is.

10 Q And with respect to the determination of an  
11 outlier, a scientifically appropriate method is to utilize  
12 an established criteria?

13 A Yes, it is.

14 MR. VOSK: No further questions, your Honor.

15 HEARINGS OFFICER KOEHLER: Mr. Garcia, cross  
16 examination?

17 MR. GARCIA: Yes, please, your Honor.

18 CROSS EXAMINATION

19 BY MR. GARCIA:

20 Q Dr. Emery, you said "in this context." What  
21 context were you talking about when you said in this  
22 context they should be using a weighted mean?

23 A Well, consensus value, as indicated by the  
24 document that Mr. Gullberg provided and by the standard and  
25 accepted practice, it is a weighted mean.

1 Q But my question was, you said "in this context."

2 A I cannot remember when I used the word context.

3 I'm sorry.

4 Q Okay. You would agree that in different contexts,  
5 different methods of calculating the mean might be  
6 appropriate?

7 A No. I am saying that sometimes the arithmetic  
8 mean and the weighted mean will give you the same result.

9 Q Okay. Did you determine whether not the  
10 differences that you found between the arithmetic mean and  
11 the weighted mean made any difference in this context?

12 A I don't know. I can't speak to that. All I can  
13 do is report what the differences are. Somebody else has  
14 to make a judgment as to whether those differences are  
15 important or not.

16 Q So you can't offer an opinion as to whether or not  
17 the differences between using weighted mean and arithmetic  
18 mean had any significant affect upon the breath test in any  
19 case.

20 A No, I cannot.

21 Q Did you compare any of the values that were  
22 actually applicable in this case, the Arntson case?

23 A I don't know what you mean by "applicable".

24 Q Did you examine the batch number for the  
25 particular case in which we are involved 070 --

1 A I have the information one, yes. I was provided  
2 with information of this form, yes.

3 Q Okay. And you ran the calculation --

4 A Yes.

5 Q -- for a weighted mean?

6 A Yes, I did.

7 Q And what was the difference between -- in other  
8 words, what was the T value for --

9 MR. VOSK: I'm sorry, your Honors, can Counsel  
10 identify which solution we're discussing at this point  
11 because I did not identify -- I didn't tell Mr. Emery which  
12 solutions applied to which case.

13 HEARINGS OFFICER KOEHLER: Okay. You might be  
14 discussing apples and oranges here.

15 By this solution, Mr. Garcia, do you mean 07010,  
16 that batch number.

17 MR. GARCIA: Correct.

18 MR. VOSK: I don't believe I gave that -- provided  
19 that to Mr. Emery.

20 HEARINGS OFFICER KOEHLER: Okay.

21 MR. VOSK: The ones that I provided to him were  
22 the ones that he did the calculations on.

23 MR. GARCIA: All right. Well, my question was  
24 that he had performed calculations on the solution  
25 applicable to this case. He indicated he had.

1 Q (By Mr. Garcia) So you didn't perform any  
2 calculations on 0701. I apologize.

3 A You have me at a disadvantage. I don't know what  
4 this case is.

5 Q Yes, I understand.

6 A I performed the calculations on 07007.

7 Q Exhibit No. 64.

8 A And on 07004.

9 Q Yes.

10 A And on 06012.

11 MR. VOSK: And your Honors, for the record, I just  
12 want to indicated I purposely didn't let him know whether  
13 or not any specific solutions were applicable to this case  
14 because I didn't want to take the chance of biasing any  
15 results. So I just gave him the numbers and had him do the  
16 calculations.

17 HEARINGS OFFICER KOEHLER: Did he answer your  
18 question, Mr. Garcia?

19 MR. GARCIA: He did. He indicated that he had not  
20 done -- according to the numbers of the batch list that he  
21 identified, none of those was identified with this quality  
22 assurance test.

23 MR. VOSK: And your Honor, I would -- I'm going to  
24 make an objection that that's not what he indicated because  
25 one of the solution batches he tested does have to do with

1 this case, the QAP solution. What he said is he doesn't  
2 know and he read the numbers that he did.

3 HEARINGS OFFICER KOEHLER: Okay. I think the  
4 question is, did he look at the batch numbers for 07010.

5 Dr. Emery, did you look at the batch numbers for  
6 batch 07010?

7 THE WITNESS: No, I did not.

8 HEARINGS OFFICER KOEHLER: Okay.

9 Q (By Mr. Garcia) And you indicated previously that  
10 you had run your weighted average upon several of the  
11 solutions that counsel provided to you. And in each of  
12 those circumstances you ended up with differences between  
13 the arithmetic mean and the weighted mean in the fourth  
14 place --

15 A Yes.

16 Q -- the fourth digit?

17 A That is correct.

18 Q You also testified about outliers. One of the  
19 things you said was that this was a difficult area of lab  
20 management?

21 A It's one that almost all students have difficulty  
22 with, all people involved in making measurements. It's a  
23 judgment call. And it is probably the most contentious  
24 issue when making measurements, yes.

25 Q Would you agree it is wise to give trained

1 experienced personnel discretion in making these  
2 determinations?

3 A Well, let me say how I would approach it in class.  
4 I would say there are criteria that you may use to accept  
5 or reject an outlier. You perform the calculation  
6 according to those criteria and you make a decision based  
7 upon that criteria. You cannot just say a number looks  
8 bad, I'll throw it out. You have to justify doing it.

9 You have to state your criteria, you have to do  
10 the calculations, and then draw the conclusion.

11 Q And, again, do you have any idea if any outlier  
12 issue arises in this case, the Arntson case?

13 A I don't know what case we're talking about. I'm  
14 very sorry. So I have no idea.

15 Q Okay. So you can't offer an opinion as to whether  
16 that issue had any impact on any particular case?

17 A No, I cannot.

18 Q Do you have any idea how often that issue arises  
19 generally at the state toxicology lab?

20 A I have no idea.

21 Q Now, you indicated earlier that your area of  
22 specialty is mechanical engineering?

23 A That's correct.

24 Q And that's one of the reasons you're indicating  
25 that you can't give an opinion upon whether or not the

1 variations that you've described between mathematical mean  
2 and weighted mean have an impact on breath-test results is  
3 because this is not your field of study?

4 A Well, number one, breath testing is not my field  
5 of study. Number two, the differences appear to be judged  
6 on the basis of legal terms, and I'm not a lawyer.

7 Q You'd agree it would be important to look at the  
8 overall quality assurance program for any result before  
9 attributing significance to any one figure, specifically  
10 the mathematical mean versus the weighted mean that you  
11 describe?

12 A No. If you're asking me, is the difference  
13 important, I can't make a statement about that. But are  
14 you asking me, should you have done the weighted mean  
15 calculation, the answer is yes.

16 Q Are you aware if that statement is followed  
17 anywhere within the area of forensic science?

18 A It's followed in all forms of science.

19 Q I'm asking specifically about forensic science.  
20 Are you aware -- well, let me ask it a different way.

21 Have you ever had experience with a forensic  
22 science lab?

23 A Other than the state toxicology lab?

24 Q Correct.

25 A No.

1 Q Have you ever performed an audit on any kind of  
2 forensic lab?

3 A No.

4 Q Have you ever published any articles in any of the  
5 national or international organizations with specialized  
6 forms in either Forensic Toxicology or Forensic Laboratory  
7 Science?

8 A No, I have not.

9 Q Do you regularly read any of the journals or  
10 magazines related to -- specifically, Journal of Forensic  
11 Science Society, Forensic Science International, The  
12 Journal of Studies on Alcohol, The Journal of Analytical  
13 Toxicology, Medicine Science & the Law, Journal for Quality  
14 Comparability and Reliability in Chemical Measurement,  
15 Journal of Forensic Sciences, Journal of Traffic Medicine,  
16 Journal of the Alcohol Testing Alliance, Canadian Society  
17 of Forensic Sciences Journal.

18 A I read some of them sporadically, only because of  
19 my involvement here.

20 Q Are you a member of any of those organizations?

21 A No, I'm not.

22 Q Ever attended any of the forums?

23 A No.

24 Q Do you have any specialized knowledge in the  
25 operation of the DAC DataMaster?

1 A Definitely not.

2 Q Dr. Emery, in terms of your calculation of the  
3 weighted mean, did you use "N" in that calculation?

4 A Yes. I assume by "N," you mean the number of  
5 readings?

6 Q Correct.

7 A Yes.

8 MR. GARCIA: I have no further questions.

9 Thank you, your Honor.

10 HEARINGS OFFICER KOEHLER: And you don't need any  
11 time to consult with Mr. Gullberg regarding any additional  
12 questions?

13 MR. GARCIA: Not at this time.

14 MR. VOSK: I've got re-direct, your Honor.

15 HEARINGS OFFICER KOEHLER: All right, Mr. Vosk.

16 REDIRECT EXAMINATION

17 BY MR. VOSK:

18 Q Dr. Emery, are the laws of physical -- of physics  
19 applicable pretty much everywhere on the planet?

20 A Yes.

21 Q Do they change when we step into a forensic's lab?

22 A I would hope not.

23 Q So the principles that you were discussing  
24 earlier, you indicated that your specialty -- one of your  
25 areas of expertise was in metrology, you're a metrologist.

1 A Yes.

2 Q That relies on the physical principles related to  
3 measurement, correct?

4 A Yes.

5 Q And those physical principles, those physical laws  
6 are the same in every lab we walk into?

7 A Yes.

8 Q So you don't need to read a forensic's magazine to  
9 know whether or not gravity works in a forensic's lab, do  
10 you?

11 A No. No, I don't.

12 Q You don't need to read a forensic's magazine to  
13 know that the laws of electromagnetism apply to a  
14 forensic's lab, do you?

15 A No.

16 Q And do you need to enter a forensic's lab to  
17 understand how the measurement process works?

18 A No.

19 Q Like other physical laws, the rules with respect  
20 to the laws governing the science of metrology are uniform  
21 around the planet.

22 A Yes.

23 Q Now, Counsel asked you whether or not you used "N"  
24 in your calculation of the weighted means. When you  
25 determined the standard deviation, you used N minus 1 in

1 your calculation, correct?

2 A That's correct.

3 Q Okay. So "N" was just a way of keeping track of  
4 the total number of measurements we have?

5 A I wouldn't say that. It's an important ingredient  
6 in calculating the mean of each set of readings and in the  
7 standard deviation set of readings.

8 Q Okay. So we used "N" in determining the mean?

9 A Yes.

10 Q And -- of each set of readings, and N minus 1 to  
11 determine the standard deviation?

12 A Yes.

13 Q And it was the standard deviation that we used in  
14 determining our weighting factors, correct?

15 A That's correct.

16 Q Okay. Now, counsel also asked you about whether  
17 or not -- determining whether or not something was an  
18 outlier, it was a judgement call, was based on discretion.

19 The criteria you're talking about when you tell  
20 your students they can use it -- if it's an individual  
21 student working in your class, he could probably chose a  
22 criteria he wanted to rely upon, couldn't he, amongst those  
23 that are available?

24 A If he can justify, yes.

25 Q Okay. But now in your lab when you're trying to

1 measure a particular quantity, can each of the scientists  
2 use their own different method of determining what an  
3 outlier is?

4 A You mean in a classroom laboratory?

5 Q No, in your lab when you do real science.

6 A I think the students believe it's real science.

7 The two most common are Chauvenet's and Pierce's.  
8 Chauvenet is probably the one that is used most often, but  
9 Pierce's is a better one.

10 Q Okay.

11 A So I will typically tell them to use Chauvenet.

12 Q Okay. So when you're all working together to  
13 measure one specific quantity -- for instance in this  
14 case, we're talking about reading the value of a solution.  
15 Everybody needs to use the same criteria to make sure your  
16 results, each separate person's data are comparable?

17 A Yes.

18 Q Okay. So they can't just switch haphazardly back  
19 and forth?

20 A No, they can't.

21 Q Now --

22 MR. VOSK: No further answers, your Honor.

23 HEARINGS OFFICER KOEHLER: How about questions?

24 MR. VOSK: I'm sorry. No further questions. I'm  
25 sorry, your Honor.

1 HEARINGS OFFICER KOEHLER: Anything further from  
2 you?

3 MR. GARCIA: Just very briefly, your Honor.

4 REDIRECT EXAMINATION

5 BY MR. GARCIA:

6 Q Dr. Emery, could you tell me what equation did you  
7 use for the weight? Was it  $N$  over --  $N$  over variance?

8 A No. It was just one over the variance.

9 Q Why not  $N$ ?

10 A  $N$ 's already embodied in the variance. If you look  
11 on the article by Paul and Mendel, the weights are listed  
12 right there under equation one as one over the variance.

13 Q So the number of times that I used an instrument  
14 in that circumstance wouldn't matter?

15 A I suppose what you're trying to lead me into or  
16 you are leading me into, is the question of whether I'm  
17 going to use the variance of the mean or variance of the  
18 readings?

19 Q Well, I guess I'm more concerned about -- there  
20 are different ways of making this calculation. The  
21 differences between them might be subtle, you'd agree?

22 A Yes, there are differences. I'd say you have to  
23 do the calculation and see the result. About the only  
24 thing I could say is that you've got to have a criteria and  
25 you've got to use it.

1 Q Okay. So you're just saying, pick a path and then  
2 apply that path?

3 A Yes, uh-hum.

4 Q Okay. You indicated earlier -- or counsel asked  
5 you a number of questions about the physical laws. And I'm  
6 assuming -- when he talks about the law of gravity, et  
7 cetera.

8 He then asked you a question saying, the laws  
9 governing measurement are uniform around the planet, and  
10 you said yes. It seemed like an overstatement. Do you  
11 agree that that statement is not entirely correct?

12 A (No audible response.)

13 Q Well, we just talked about some variations --

14 A Well, if you want to argue --

15 Q -- in ways of doing that.

16 A If you want to argue Einstein's theory of  
17 relativity versus Newtonian mechanics.

18 Q Well, we're talking about measurement.

19 A Uh-hum.

20 Q And counsel was trying to equate that to some form  
21 of law. Wouldn't you agree that the science of measurement  
22 is an evolving science, it has differences of opinion even  
23 among the scientists?

24 A All scientists disagree to a certain point.

25 Q Certainly.

1 A Otherwise, they wouldn't be doing research.

2 Q And you have been in courtrooms where there have  
3 been experts on the other side that disagreed with you on  
4 issues of measurement?

5 A Yes.

6 Q So, I mean, a more fair way of saying it, is  
7 there's a consensus, but it's not uniform?

8 A Yes.

9 Q All right. And it would be fair to say that you  
10 don't know what the consensus is among scientists in the  
11 alcohol-testing field?

12 A That's true.

13 Q All right.

14 MR. GARCIA: Thank you. No further questions.

15 MR. VOSK: I have just a couple follow-ups, your  
16 Honor.

17 HEARINGS OFFICER KOEHLER: All right.

18 REDIRECT EXAMINATION

19 BY MR. VOSK:

20 Q With respect to the laws of measurement, the  
21 physical principles involved in measurement, the base --  
22 the way chemicals combine, the way electromagnetic forces  
23 work, the physical principles involved in measurement,  
24 those are the same in every lab anywhere on this planet,  
25 aren't they, the physical laws of the universe don't change

1 discounting relativity versus Newtonian mechanics?

2 A I would say yes.

3 Q Okay. And when counsel asked you, it's okay, as  
4 long as you pick a path and follow it, that's fine. You  
5 were referring to alternate methods of weighting data in  
6 determining a mean; is that correct?

7 A Yes.

8 Q You weren't saying that it was okay to go ahead  
9 and just use the arithmetic mean without choosing some  
10 weighting criteria?

11 A No, that's -- you've got to have a criteria.

12 Q Okay. So differences between methods of weighting  
13 is okay, but it's not okay to just jump in and use the  
14 arithmetic mean?

15 A That's true.

16 Q We've been talking about differences in the  
17 ten-thousandth place of these numbers. And these are  
18 things that we can detect in the lab. You mentioned the  
19 difference between Newtonian mechanics and general  
20 relativity.

21 Are the differences that would be present in a  
22 typical lab between those two theories going to be apparent  
23 --

24 A No.

25 Q -- at that level?

1 A No.

2 Q You'd need far more precise instruments for that?

3 A Yes.

4 Q So we don't need to worry about the distinction  
5 between classical gravity and relativistic?

6 A No.

7 MR. VOSK: No questions, your Honor.

8 HEARINGS OFFICER KOEHLER: Mr. Garcia?

9 MR. GARCIA: No.

10 HEARINGS OFFICER KOEHLER: In terms of  
11 measurement, Dr. Emery, is there a difference between the  
12 terms reliability and accuracy?

13 THE WITNESS: Between precision and accuracy?

14 HEARINGS OFFICER KOEHLER: No. Between  
15 reliability and accuracy.

16 THE WITNESS: Yeah, I think there's a fundamental  
17 difference. But in terms of measurement -- are you saying  
18 reliability in the sense that you can believe a  
19 measurement?

20 HEARINGS OFFICER KOEHLER: Well, I guess I'm  
21 asking you that question. How would you define that term?

22 THE WITNESS: Well, accuracy is the degree to  
23 which the average of a great number of readings agrees with  
24 the true value.

25 I don't know that there is a statistical

1 definition in terms of measurements as to reliability. I  
2 would assume that what you're thinking of is how confident  
3 am I in the results.

4 HEARINGS OFFICER BARTON: Since we're asking you  
5 to define terms, can you tell me what you mean by  
6 precision?

7 A Okay. It's easier to give you an example. If you  
8 were -- the one that we usually give students is we're  
9 shooting a bow and arrow at a target. Okay. After you got  
10 through all shooting, if you -- you have all of these  
11 points around your -- if you looked at the average, that  
12 distance of the average from the bull's-eye would be a  
13 measure of its accuracy. It's precision would be the size  
14 of a cloud of impact points that you have.

15 So something could be very precise, meaning that  
16 everytime you shot the arrow, you came to the same point on  
17 the target, but it could be terribly inaccurate. Okay. Or  
18 it could be very accurate, which means that on the average,  
19 you were hitting the bull's-eye every time; but each  
20 individual reading was all over the place. So precision is  
21 related to the spread of the data. Accuracy is related to  
22 how far the average of the data agree with the true value.

23 So you can have inaccurate precise measurements,  
24 you can have accurate and precise measurements. Inaccurate  
25 and precise and accurate and precise. That sort of covers

1 everything.

2 HEARINGS OFFICER BARTON: Right. And can you tell  
3 me what -- you talked about consensus value.

4 THE WITNESS: A weighted average.

5 HEARINGS OFFICER BARTON: Okay.

6 HEARINGS OFFICER KOEHLER: Mr. Vosk, do you have  
7 any follow-up questions based on the Hearing Officers'  
8 questions?

9 Mr. Vosk: Just real quick, your Honor, yes.

10 REDIRECT EXAMINATION

11 BY MR. VOSK:

12 Q The two qualities you were talking about, accuracy  
13 and precision, is that -- are those typically the two  
14 things, the primary two things you're worried about when  
15 you're reporting data, a scientific measurement?

16 A Yes.

17 Q And if a statute or a regulation or a Court used  
18 the term as accuracy and reliability, you don't know  
19 whether or not The Court's referring to your scientific  
20 terms or not, do you?

21 A No I don't.

22 Q And so if those terms were defined in a WAC, in a  
23 regulation, or in some administrative protocol, and they  
24 identified reliability with precision, then your definition  
25 of precision would apply to reliability?

1 A Yes.

2 Q Okay.

3 HEARINGS OFFICER KOEHLER: Mr. Garcia, do you have  
4 any other follow up questions?

5 Mr. Garcia: No, questions.

6 HEARINGS OFFICER KOEHLER: All right. Thank you  
7 very much for your testimony today, Dr. Emery.

8 THE WITNESS: Thank you, very much.

9 HEARINGS OFFICER KOEHLER: And you're excused and  
10 free to go.

11 Mr. Garcia, will you be calling any witnesses?

12 MR. GARCIA: Just briefly, your Honor.

13 HEARINGS OFFICER KOEHLER: Would you like to take  
14 a break?

15 MR. GARCIA: Please.

16 (Whereupon, a recess was taken.)

17 HEARINGS OFFICER KOEHLER: Mr. Vosk, do I  
18 understand correctly that you wanted to call Dr. Emery  
19 briefly?

20 MR. VOSK: Just very briefly to correct some  
21 testimony that he gave, your Honor.

22 HEARINGS OFFICER KOEHLER: All right.

23 MR. VOSK: But it will be very quick.

24 HEARINGS OFFICER KOEHLER: Just go ahead.

25 MR. VOSK: Can I hand this to The Court and get

1 this marked, please?

2 HEARINGS OFFICER KOEHLER: Has counsel seen this?

3 MR. VOSK: Oh, I'm sorry.

4 HEARINGS OFFICER KOEHLER: The only thing that  
5 looked like I was missing was the admitted copy of Exhibit  
6 66.

7 So I remember correctly, there was no writing put  
8 on Exhibit 66.

9 THE WITNESS: Well, you numbered the pages  
10 afterwards.

11 HEARINGS OFFICER KOEHLER: All right. It's fine.  
12 All right. Was there some confusion about another exhibit?

13 MR. VOSK: No, no.

14 HEARINGS OFFICER KOEHLER: All right. Then I've  
15 marked the document that you just gave me. That will be  
16 Exhibit 73.

17 MR. VOSK: Okay.

18 FURTHER EXAMINATION

19 BY MR. VOSK:

20 Q (By Mr. Vosk) Dr. Emery, earlier you did some  
21 calculations of the weighted mean with respect to solution  
22 07007.

23 A That's correct.

24 Q Were your calculations wrong?

25 A Yes.

1 Q Okay. Could you explain to The Court what you  
2 did?

3 A I used the variance of the -- let me back up a  
4 little.

5 Q Please.

6 A The correct variance is the variance in the mean,  
7 not the variance of the individual readings. And in the  
8 tests 07004 and 06012, the same number of readings were  
9 used for each test and so the number of readings didn't  
10 matter, it divided out.

11 But in 07007, they have -- we have a test with 20  
12 readings and a test with 30 readings and a test with 10  
13 readings and another test with 20. So a number does make a  
14 difference there.

15 Q Okay. And so in that case, what is your -- what  
16 is your weighting factor?

17 A The weighting factor should have been multiplied  
18 by the number of readings.

19 Q And over the standard deviation?

20 A The standard deviation of the mean -- the root and  
21 over the number -- over the standard deviation -- the  
22 standard deviation -- the variance of the mean is the  
23 variance of the individual readings divided by the number  
24 of readings.

25 Q Okay. And did you redo those calculations?

1 A Yes, I did.

2 Q And can you tell The Court what you got for your  
3 weighted mean?

4 A My weighted mean is now .1021.

5 Q Okay. And what did you get for the arithmetic  
6 mean?

7 A .1018.

8 Q And did you divide each of those by 1.23?

9 A Yes, I did.

10 Q What did you get for the weighted mean?

11 A For the weighted mean, .0830; and for the  
12 arithmetic mean, .0828.

13 Q Okay. Now, originally you said that the  
14 difference between the arithmetic and weighted mean was  
15 only one ten-thousandth, correct?

16 A Yes, I did.

17 Q And you were wrong, right?

18 A That's correct. I was wrong.

19 Q What is the difference between the two equivalent  
20 --

21 A .0002.

22 Q So two ten-thousandths --

23 A Yes.

24 Q -- greater than before.

25 MR. VOSK: Your Honor, at this point, I would ask

1 to admit Exhibit 73, the corrected calculations.

2 HEARINGS OFFICER KOEHLER: Mr. Garcia, do you have  
3 the same objection?

4 MR. GARCIA: No, your Honor. I think it was my  
5 cross that eliminated any problem with the calculation.

6 HEARINGS OFFICER KOEHLER: All right. Then  
7 Exhibit 73 is admitted.

8 MR. VOSK: No further questions, your Honor.

9 HEARINGS OFFICER KOEHLER: Mr. Garcia, do you have  
10 any questions based on the additional questions?

11 MR. GARCIA: I do not, your Honor.

12 HEARINGS OFFICER KOEHLER: All right. Thank you  
13 very much.

14 MR. GARCIA: And we'll recall Rod Gullberg. He's  
15 previously sworn.

16 HEARINGS OFFICER KOEHLER: Yes.

17 Mr. Gullberg, you were previously sworn last week  
18 and you're still under oath.

19 THE WITNESS: Yes, your Honor.

20 HEARINGS OFFICER KOEHLER: Mr. Garcia, you may  
21 proceed.

22 EXAMINATION BY

23 MR. GARCIA:

24 Q Mr. Gullberg, are you generally familiar with  
25 breath-test programs nationwide?

1 A Yes.

2 Q And how long have you been practicing in this  
3 area?

4 A Since about 1983.

5 Q And are you aware of any programs that perform  
6 mean calculations using Bayesian or other weighted  
7 techniques?

8 A No.

9 Q Have you examined the affect of the weighted mean  
10 technique proposed by Dr. Emery on various batches?

11 A Yes.

12 Q Have you found -- what were your results in that  
13 examination?

14 A Well, on one batch, which was the one in this case  
15 07010, I have the calculations. The arithmetic mean was  
16 0.1014. Now, there are two ways to calculate the weighted  
17 mean. I did the one recommended in the one article  
18 referred to earlier. That gave a weighted mean of 0.1015,  
19 so a difference of one in the ten-thousandth place.

20 And then another weighted mean, which is a little  
21 more standard, using "N" and the variance as Dr. Emery  
22 testified to today. It gave me a weighted mean of 0.1012.  
23 So that was two ten-thousandths difference.

24 Q And do you regard either one of the differences to  
25 be significant compared to the mathematical mean that you

1 had previously calculated?

2 A No.

3 Q Okay. Have you looked at weighted means as a  
4 technique in breath-testing programs previously?

5 A Yes.

6 Q Okay. And why did you decide not to incorporate  
7 those?

8 A I didn't feel that they generated significant  
9 enough differences to warrant a different method of  
10 approach.

11 MR. VOSK: Your Honor, at this point, I'm going to  
12 object. Mr. Gullberg is not a part of the tox lab and was  
13 not a part of the tox lab and took no part in determining  
14 what algorithms and statistical measures were going to be  
15 used in the tox lab.

16 He testified previously he's in the breath-test  
17 section and whatever is happening in the breath-test  
18 section with respect to their use of statistics is one  
19 question. What we're talking about here is what the  
20 toxicologists do in a tox lab. So Mr. Gullberg's opinion  
21 about what to do in the breath-test division would seem to  
22 be relevant.

23 HEARINGS OFFICER KOEHLER: It's overruled.

24 You may answer the question.

25 MR. GARCIA: Thank you. I think he did answer it,

1 your Honor.

2 Q (By Mr. Garcia) In the area of outliers, you  
3 indicated in the Skagit County testimony that you were  
4 performing --

5 MR. VOSK: Objection. Rod Gullberg didn't testify  
6 in Skagit County.

7 MR. GARCIA: I can't remember. Okay.

8 Q (By Mr. Garcia) In the Skagit County testimony, it  
9 was indicated that you were performing review of the data  
10 to determine whether any clerical or other errors arose in  
11 the worksheets, certifications, chromatograms, or other  
12 underlying documents. How far back have you completed your  
13 review to date?

14 A To 2005.

15 Q During your review of those documents,  
16 approximately how many times did you locate what appeared  
17 to be outliers where forensic toxicologists had discarded  
18 or not counted a run and retained the information?

19 A First of all --

20 MR. VOSK: Your Honor, I'm going to object at this  
21 point; asked and answered at the last hearing and is beyond  
22 the scope -- if he's being brought for rebuttal, we didn't  
23 talk about how many of these things had been done. We were  
24 simply talking about the mathematical techniques.

25 If counsel wants to try to rebut Dr. Emery's

1 testimony, he's got to go to the mathematical techniques.  
2 Dr. Emery said he couldn't testify about breath testing,  
3 and so we didn't go into it.

4 HEARINGS OFFICER KOEHLER: Overruled.

5 A Well, first of all, we didn't specifically look  
6 for outliers. We didn't have an established criteria for  
7 identifying outliers. I'm not aware there's one in the  
8 toxicology lab in their SOM manuals.

9 Secondly, where we found chromatograms lined out  
10 and discarded and not used, we didn't determine why that  
11 was the case -- whether it was an outlier, or the control  
12 wasn't right, or the blank was too high -- we didn't check  
13 further. We just included that in the file, kept it in  
14 there for full disclosure, and then confirmed that the run  
15 they did use was correctly recorded and on the data sheet.

16 Q (By Mr. Garcia) Thank you.

17 How often did you find that data was not used in a  
18 run but included in the folder?

19 A I would be making -- I would be speculating. We  
20 probably looked at 140 batches and maybe 15 times.

21 Q During the last two years?

22 A Yes. Yes --

23 Q Okay.

24 A -- where they were lined out. It might be an  
25 estimate.

1 Q Okay. And you can't give us an estimate of how  
2 many of those were outliers as opposed to some other  
3 reason?

4 A That's right. We didn't investigate the reasons  
5 why the analyst chose not to include it.

6 Q Okay.

7 MR. GARCIA: Thank you. I have no further  
8 questions.

9 HEARINGS OFFICER KOEHLER: Mr. Vosk?

10 MR. VOSK: Yes, your Honor.

11 CROSS EXAMINATION

12 BY MR. VOSK:

13 Q Were you -- you indicated that you didn't know of  
14 any programs in forensic breath testing that used weighted  
15 means.

16 A That's right.

17 Q Have you been involved in toxicological work  
18 during your career?

19 A Not in operating the instruments. I have a  
20 general familiarity with the field, but not in any  
21 practical bench-work level.

22 Q Okay. So you're not an expert in toxicology?

23 A No.

24 MR. GARCIA: Objection; calls for a legal  
25 conclusion.

1 HEARINGS OFFICER KOEHLER: Overruled.

2 MR. VOSK: Thank you, your Honor.

3 Q (By Mr. Vosk) And can you just repeat your answer?

4 A Well, breath alcohol being a field of forensic  
5 toxicology, I would consider myself in expert in that; but  
6 in gas chromatography, blood alcohol, drug analysis,  
7 biological fluids, no.

8 Q Okay. So in the breath-test lab, you're an  
9 expert?

10 A I believe so.

11 Q Well, I'll concede that.

12 A Okay.

13 Q I think we did. But in the toxicology lab, you're  
14 not?

15 A Not in the field of blood alcohol, drug analysis,  
16 and biological fluids, no.

17 Q Or gas chromatography?

18 A Gas chromatography, that's right, no.

19 Q Okay. Now, if we go back to the 1300s, everybody  
20 thought the world was flat pretty much, didn't they?

21 A I guess that's -- could be correct.

22 Q Okay. The fact that everybody thought the world  
23 was flat didn't make it so though, did it?

24 A No.

25 Q So the fact that other breath-test labs may not

1 use weighted means doesn't mean that that's correct, does  
2 it?

3 A I guess it's a matter of judgment and opinion,  
4 expert judgment and opinion. There's multiple methods in  
5 any scientific field and the scientist needs to select and  
6 make that judgment.

7 Q So just because every breath-test lab you've ever  
8 seen does it, doesn't mean that it's right, does it?

9 A I would agree.

10 Q Okay. They could all be wrong?

11 A I'd have to concede that's possible.

12 Q And simply because a breath-test lab might do it  
13 one way, certainly doesn't mean that a toxicology lab  
14 necessarily should?

15 A Not necessarily, that's right.

16 Q Now, you went back through and did the weighted  
17 mean calculation here. And you found differences both  
18 ways, correct?

19 A Yes.

20 Q That agrees with Dr. Emery?

21 A Yes. It was a different batch, but that's  
22 correct, to the fourth decimal place.

23 Q Okay. Now, you said that you didn't look for  
24 outliers in the data.

25 A That's right.

1 Q I'm going to hand you Exhibit 64 and ask you to  
2 take a look at Analyst 14.

3 Now, you heard Dr. Emery's testimony earlier about  
4 the ratio that he found for that set of data and the ratio  
5 he found for Ms. Gross in her --

6 A Yes.

7 Q -- in what we'll call discarded data. It was  
8 foldered, but just easier, discarded data.

9 A Yes.

10 Q Why did you treat those numbers differently than  
11 she treated hers?

12 A I wasn't in a position to know why the analyst  
13 discarded her set. It may have been -- I don't know the  
14 reason. It could have been a number of reasons. She chose  
15 to do that, I didn't question that.

16 Q Okay. And so on here you -- when you look at  
17 those numbers, those numbers look fine to you?

18 A Yes.

19 Q They should have been included?

20 A Yes.

21 Q So assuming that everything else -- and this is a  
22 hypothetical -- assuming everything else was fine with Ms.  
23 Gross', and the only reason she crossed it out was because  
24 that .108 was there, given the fact that her two ratios  
25 were almost spot-on the same, wouldn't you have included

1 hers, that run?

2 A Assuming there was no other reason why she  
3 discarded that?

4 Q Yes.

5 A Yes, then -- on the face of it, it appeared to be  
6 the same.

7 Q And should have been included?

8 A On the face of it, yes.

9 Q Now, you were asked how often data not utilized in  
10 the -- not utilized in a spreadsheet had been found in  
11 folders, and you said 15.

12 A That was my estimate.

13 Q An estimate.

14 A Right. Right.

15 Q How much data that wasn't used or wasn't put in  
16 the spreadsheet that was thrown in the garbage can did you  
17 find?

18 MR. GARCIA: Objection; argumentative.

19 HEARINGS OFFICER KOEHLER: Sustained. You can ask  
20 it differently, if you can.

21 Q (By Mr. Vosk) How much data that wasn't included  
22 in the worksheet did you find that had been put some place  
23 where you didn't look?

24 A I don't know.

25 Q Because you didn't look there, right?

1 A Yeah. I have no way of knowing.

2 Q So this thing about 15, the only way that's a good  
3 number is if everybody who discarded their data put it in  
4 some place that you would have looked and found?

5 A Yes.

6 Q Why didn't you look for outliers when you went  
7 back to analyze this data?

8 A It was probably because there was no criteria for  
9 that within Dr. Logan's SOM manual. That was not something  
10 that they required that be checked by the analyst or part  
11 of their date-evaluation process. So that's probably why.  
12 We weren't alerted to that and didn't therefore measure for  
13 that.

14 Q Okay. So when you came in, you pretty much used  
15 the methods that they told you they wanted you to use.

16 A It was more a matter of confirming correct data  
17 recording from the original chromatogram documents to the  
18 summary page.

19 Q Okay.

20 A Of course, we became familiar with their  
21 calculation algorithms and so forth. But we didn't  
22 question their -- why don't you do it this way or why  
23 didn't you do that, we didn't -- like outliers or --

24 Q You didn't question their methodologies?

25 A Yeah, that's correct, really did not.

1 Q Okay. Now, you indicated that you didn't think  
2 the differences in that ten-thousandth place were  
3 significant. And last week, I think there was some  
4 disagreement between you and Dr. Logan as to whether or not  
5 that was the case.

6 MR. GARCIA: Objection, mischaracterizes his  
7 testimony. I don't believe there was any difference.

8 MR. VOSK: I thought there was with respect to a  
9 memo. I obviously thought it was --

10 HEARINGS OFFICER KOEHLER: Can you ask the  
11 question again --

12 MR. VOSK: Yes.

13 HEARINGS OFFICER KOEHLER: -- or have the court  
14 reporter read it back.

15 MR. VOSK: I'm going to try to rephrase it in a  
16 more -- unless your Honor wants it read back?

17 HEARINGS OFFICER KOEHLER: Go ahead.

18 Q (By Mr. Vosk) Dr. Logan's responsible for  
19 determining, ultimately, the rules governing breath  
20 testing, isn't he?

21 A Yes.

22 Q And so if he were to determine that the value out  
23 in the ten-thousandth place was such that in his discretion  
24 was important, wouldn't that then make it important?

25 A It could.

1 Q Because we're mixing the law and science here,  
2 aren't we?

3 A Yes. To some extent, yes.

4 Q And it makes it a little messier to just give a  
5 straight scientific opinion all the time, doesn't it?

6 A I'm not sure I understand the question.

7 Q Well, when somebody asks you, is something  
8 important, don't you all of a sudden have to ask yourself,  
9 well, is he talking scientifically or is he talking  
10 legally?

11 A Yeah, I would --

12 MR. GARCIA: I'm going to object, your Honor. I  
13 have no idea what relevance this has to any question that  
14 was raised.

15 MR. VOSK: You asked him whether or not the  
16 differences were important. And I'm going to get down to  
17 exactly what he meant by that.

18 HEARINGS OFFICER KOEHLER: Overruled. You can ask  
19 the question.

20 Q (By Mr. Vosk) Okay. And so if a difference in the  
21 ten-thousandth place, according to the rules of the State  
22 toxicologist, had the effect of jeopardizing somebody's  
23 liberty, as a forensic scientist, as somebody who's got to  
24 consider both law and science, doesn't that suddenly become  
25 important?

1 A Yes.

2 MR. VOSK: No further questions.

3 HEARINGS OFFICER KOEHLER: Mr. Garcia?

4 REDIRECT EXAMINATION

5 BY MR. GARCIA:

6 Q Mr. Gullberg, are there rules governing when the  
7 mean is acceptable and unacceptable when testing batches?

8 A Well, the practice in the toxicology laboratory is  
9 to calculate the arithmetic mean and to round it to the  
10 fourth decimal place.

11 Q Yes. And my question was: Once you've made that  
12 calculation, is there a protocol or rule that tells the  
13 toxicologist whether or not that mean is acceptable to the  
14 state toxicologist or not?

15 A Yes.

16 Q Okay. And so when I asked you the question, was  
17 that important, did you understand that I meant did it  
18 significantly or materially affect the number such that it  
19 was now outside the requirements of the state toxicologist?

20 A I may not have understood the original question,  
21 not having been given these criteria, is it significant in  
22 view of these limits.

23 Q Okay. Let me ask it both ways then. First, was  
24 it mathematically significant that in the fourth digit the  
25 number changed in this context?

1 A Well -- okay. Mathematically or toxicology  
2 context because mathematically, it made a difference.

3 Q Objectively it made a difference?

4 A Computationally it made a difference.

5 Q Okay. Now, in this context within the limitations  
6 of the State toxicologist's protocol, did it make a  
7 difference?

8 A No.

9 Q Now, you are familiar with the use of the  
10 simulator solution in the breath-test program?

11 A Yes.

12 Q Okay. Counsel asked you some questions about the  
13 differences between toxicology and breath testing. Do you  
14 understand the use of the simulator solution in the  
15 breath-test program?

16 A Yes.

17 Q Okay. Given the differences that you have  
18 calculated yourself and heard here today, would any of  
19 those differences have any affect on the breath tests from  
20 any of the cases you have reviewed?

21 A No. I have not seen any yet. And I guess I  
22 should qualify that answer a bit. Where we have a plus or  
23 minus 5 percent bias or systematic error allowed, we have  
24 not seen where these calculations affects that -- throws it  
25 out.

1 But conceivably, if one were right on that, 4.99  
2 percent, a fourth decimal place could throw it out. I have  
3 not see it yet.

4 Q Okay.

5 A So to answer could it ever make a difference, I  
6 couldn't say that it could not.

7 Q Okay. And if somebody wanted to determine whether  
8 or not it had an affect on an individual case, they would  
9 actually be able to go back into the documents that are  
10 posted on the website and challenge the methodology that  
11 you used?

12 A Yes.

13 Q Okay. And counsel asked you questions about your  
14 difference of opinion with Dr. Logan. Was that difference  
15 of opinion --

16 MR. VOSK: Strike -- your Honor, I'm going to  
17 object. He objected to the question. I withdrew it and  
18 asked a different question.

19 HEARINGS OFFICER KOEHLER: Sustained.

20 Q (By Mr. Garcia) Counsel asked you some questions  
21 about the outlier in 07007, Exhibit No. 64 --

22 A Yes.

23 Q -- by Kelly Gross. And it indicated -- counsel  
24 was querying you about the number that was included. I  
25 think it was .106.

1 A This was by Analyst Brian Capron --

2 Q I apologize.

3 A -- in Exhibit 64.

4 Q Okay. Now, does including or excluding that data  
5 point make any difference to the ultimate conclusion as to  
6 whether it is, or is outside the protocol requirements?

7 A I wouldn't -- no, I would doubt it very much. I  
8 have not done the calculation, but it's -- no, in my  
9 opinion, it would not.

10 Q All right.

11 MR. GARCIA: Thank you. No further questions.

12 HEARINGS OFFICER KOEHLER: Mr. Vosk?

13 MR. VOSK: Yes, your Honor.

14 REDIRECT EXAMINATION

15 BY MR. VOSK:

16 Q Counsel asked whether that within limits of the  
17 protocol these differences meant anything, and you said no.  
18 And that's just based on the fact that you're talking about  
19 in the precise confined context of the protocol when you  
20 answered that question?

21 A Yes.

22 Q Because you've indicated that out in the field  
23 we've seen that depending on how the State toxicologist is  
24 going to interpret the rules, it can make a difference?

25 A Yes.

1 Q You also talked about a difference of one  
2 ten-thousandth in these readings and not finding anyplace  
3 where it made a difference. Earlier, Dr. Emery went  
4 through these calculations on a couple of QAP solutions and  
5 showed differences of three ten-thousandths.

6 Given that a difference of one ten-thousandth was  
7 a basis for that August 2007 memo that changes that  
8 jeopardized individual's liberties, aren't differences of  
9 three ten-thousandths even more likely to jeopardize  
10 somebody's liberty.

11 A One has to do the calculation in a particular case  
12 to see if it makes a difference or not. We never saw where  
13 it did. But you'd have to redo it in each case to see --  
14 to confirm that.

15 Q Sure. Sure. And since the error is bigger --  
16 three ten thousandths is bigger than one ten-thousandth --  
17 doesn't that pose an even greater possibility of an  
18 individual's jeopardy being in peril?

19 A Yes.

20 Q Now when you went through this data, how many  
21 individual breath tests did you look at?

22 A No breath tests. We were confirming the  
23 correctness of the reference values as produced by the  
24 toxicology lab, which we used then to calibrate and certify  
25 breath-test instruments. And we wanted to be sure that the

1 QAP standards of plus or minus 5 percent were not affected.

2 Q So you didn't actually look at anybody's breath  
3 test in conjunction with this?

4 A No.

5 Q So you don't actually know whether or not any of  
6 these changes may have had an effect according to the way  
7 Dr. Logan interpreted his rules in that August 2007 memo,  
8 do you?

9 A Well we were concerned with an effect on the bias  
10 --

11 Q That's not the question I'm asking you. You don't  
12 know whether or not the errors that you found there or the  
13 errors that Dr. Emery identified, you don't know whether or  
14 not they affected any particular breath test utilizing Dr.  
15 Logan's interpretation in the August 2007 memo, do you?

16 A No, I'm not sure what his interpretation was,  
17 again, in that memo that you're referring to --

18 Q Well, if you recall --

19 MR. GARCIA: Objection --

20 HEARINGS OFFICER KOEHLER: Counsel --

21 Mr. Vosk: I'm sorry, your Honor.

22 HEARINGS OFFICER KOEHLER: -- can you please allow  
23 the witness to answer the question.

24 MR. VOSK: Yes, your Honor. I apologize to both  
25 The Court and to the witness.

1 Q (By Mr. Vosk) please continue.

2 A Yeah, I'm not familiar, again, throughly with what  
3 his opinion was in that August memo. I know I've seen it  
4 before.

5 Q Can I try to refresh your memory?

6 A Yes. Yes.

7 Q Do you recall there, we had a difference of one  
8 ten-thousandth with the AC percent in a solution that was  
9 supposed to be used for QAPs.

10 A Okay.

11 Q And based on that difference, Dr. Logan had  
12 indicated that individuals who should have been deemed  
13 under an 08 were found to be over an 08.

14 A Okay. Yeah. Yeah.

15 Q Is this correct?

16 A Yeah. Yeah, yeah. I believe so.

17 Q And individuals who should have been under a one  
18 five were deemed to be over a one five.

19 A Okay. On that specific instrument, I think?

20 Q Yes.

21 A Yeah. Yeah.

22 Q And so you're agreeing then?

23 A Yes.

24 Q Okay.

25 A I think it was a Spokane instrument.

1 Q Okay. Now, given that interpretation of the way  
2 -- Barry Logan's interpretation that that's the way things  
3 should be done, you can't tell us or -- I'm sorry --  
4 argumentative -- objection.

5 Can you tell us -- given that interpretation and  
6 the fact that you haven't looked at a single breath test,  
7 you can't tell us whether or not any of these changes, or a  
8 difference as indicated by a Dr. Emery, affected any breath  
9 test, can you?

10 A No, that's correct.

11 Q Council also asked if you took out that one point,  
12 that .106, whether it would make a difference, and you said  
13 no.

14 What about if removed all five readings?

15 A I don't know why we'd want to but, yeah, that  
16 would make more of a difference. Five out of -- is there  
17 80 measurements -- then one out of 80.

18 Q And so that might, in fact, have an affect out in  
19 the ten-thousandth place for the mean?

20 A It might. It might.

21 Q Now, if that's an outlier, what have we seen that  
22 people do? They don't throw just one measurement, do they?

23 A I can't answer that, because I don't know why  
24 they'd throw out any at all.

25 Q Okay.

1 A I'm not judging their decision --

2 Q Okay. Let me ask --

3 A -- why they did that.

4 Q I'm sorry. Let me ask you a hypothetical. Let's  
5 assume that the reason Kelly Gross discarded her data with  
6 the 108 was because the 108 was an outlier. She didn't  
7 just discard the 108, did she?

8 A No, that's right.

9 Q She discarded all five values?

10 A Yes.

11 MR. VOSK: No further questions.

12 HEARINGS OFFICER KOEHLER: Mr. Garcia?

13 MR. GARCIA: Yes.

14 REDIRECT EXAMINATION

15 BY MR. GARCIA:

16 Q Mr. Gullberg, what are the differences between the  
17 circumstance in Spokane where the breath-test result was  
18 affected and all the other tests where breath tests were  
19 not affected?

20 MR. VOSK: Your Honor, I'm going to object. He's  
21 already said he didn't check any of the breath tests.  
22 There's no basis for knowledge.

23 HEARINGS OFFICER KOEHLER: Overruled.

24 A I'm not sure I understand the question.

25 Q (By Mr. Garcia) Certainly. You previously

1 testified and counsel just asked you about the circumstance  
2 where someone's liberty affected, and that it concerns the  
3 August 9th memo attributed to Dr. Logan.

4 In that circumstance there were four individuals  
5 who were apparently reported at .080. And Dr. Logan  
6 calculated that the difference from clerical errors could  
7 have put them --

8 MR. VOSK: I'm going to object, your Honor.  
9 That's not what's said in that memo. Counsel's  
10 misrepresenting that.

11 MR. GARCIA: I'm going to go ahead and show him  
12 the August 9th memo rather than characterize it.

13 MR. VOSK: Please.

14 Q (By Mr. Garcia) Showing you what's been marked as  
15 Exhibit No. 14 --

16 MR. VOSK: And your Honors, at this point, I just  
17 want to make a brief objection. If counsel can remove the  
18 document from the rest of his notebook and hand it to the  
19 witness, that would be okay. I don't want the witness  
20 being contaminated by anything else that may be in that  
21 notebook.

22 HEARINGS OFFICER KOEHLER: I -- from what I can  
23 see, only the -- oh, there might be a sticky on the  
24 exhibit. Is that what you're referring to?

25 MR. VOSK: Well, no. There are other documents in

1 there. I would just like him to hand the witness --

2 MR. GARCIA: I'm not, your Honor, although we've  
3 been doing it this way for some days now.

4 MR. VOSK: I'm not sure that I have.

5 A (The witness was handed the document)

6 Okay.

7 Q (By Mr. Garcia) Have you reviewed this document  
8 before?

9 A Yes.

10 Q And in light of the information in this document,  
11 can you tell us what the differences are between the  
12 circumstance in Spokane where the change in the reference  
13 value of the simulator solution resulted in a change in the  
14 recorded breath-test result?

15 A I'm not sure. Either I don't understand the  
16 question or no, I cannot.

17 Q How would the reference value of the simulator  
18 solution affect a breath-test result?

19 A Well, one -- what we --

20 MR. VOSK: Your Honor, at this point, I'm going to  
21 object. This has been asked and answered a number of times  
22 in the past and it's way beyond the scope of Dr. Emery's  
23 testimony.

24 HEARINGS OFFICER KOEHLER: Well, I think that it  
25 has been asked and answered; however, you brought it up

1 today and started asking the question. So I think it's  
2 fair for Mr. Garcia to be able to redirect.

3 MR. VOSK: I withdraw my objection.

4 HEARINGS OFFICER KOEHLER: You may answer the  
5 question.

6 A Well, we use the reference value that the  
7 toxicology lab provides to us to determine bias when we  
8 perform 10 measurements on an instrument during a QAP. And  
9 our limits are plus or minus 5 percent. So the mean of our  
10 10 must be within 5 percent of that reference value.

11 If the reference value is wrong, of course our  
12 bias is wrong and we must re-estimate that, am I near 5  
13 percent or over or under. If it's 1 or 2 percent, a  
14 difference in the fourth decimal place might make it 1.2  
15 percent instead of 1.1 percent. So it's harmless, but we  
16 want to be sure of that. So that's what was done where  
17 those reference values changed.

18 But that's what we use that reference value for.  
19 It's very important to ensure accuracy of the  
20 breath-alcohol instruments during the QAP and also in the  
21 field test when they prepare their field solutions for us  
22 that we use in the field.

23 MR. GARCIA: I have no further questions. Thank  
24 you.

25 MR. VOSK: I've got two quick follow-ups, your

1 Honor.

2 HEARINGS OFFICER KOEHLER: One -- I'm counting  
3 them. Go ahead.

4 MR. VOSK: Well, follow-up lines, but I'll try to  
5 keep them short.

6 REDIRECT EXAMINATION

7 BY MR. VOSK:

8 Q With respect to whether or not or -- strike that.

9 With respect to how the results are going to be  
10 interpreted, that's up to Dr. Logan, isn't it?

11 A Yes, I would agree.

12 Q So you may have an opinion, yes they do matter,  
13 not they don't, but it's his opinion that counts?

14 A I would agree with that, yes.

15 Q And so if he says they matter, then they matter?

16 A Yes.

17 Q With respect to bias, you talked about how careful  
18 you guys are on the QAP process. Last week we spoke, and  
19 you said that you don't subtract out of bias, bias  
20 shouldn't necessarily be subtracted out in the field when  
21 you find it.

22 A Right. Not as a routine practice. We could, we  
23 don't.

24 Q You testified for me in a case before, didn't you,  
25 as my expert?

1 A I may have.

2 Q Okay. I'm going to try to jog your memory a

3 little bit. A case involving an 080 and an 081.

4 A Okay.

5 Q Two tests that were over the legal limit. And we

6 went in and we took a look at the bias --

7 A Okay.

8 Q -- involved in the DataMaster. Just a small bias

9 that we're talking about with respect to the lab. And

10 didn't you conclude that even though both of those values

11 were over an 08, that there was approximately a 57 percent

12 probability that the true value was under an 08?

13 A Yeah, I could have.

14 Q Okay.

15 A Yeah. That's one of the things I look at is the

16 bias in the test results.

17 Q Okay. Do you --

18 A Yeah, I've done that several times. In your case

19 -- I know I've done them for you before -- conference

20 interval calculations.

21 Q Okay. And so even if you don't remember the

22 specific numbers, you remember testifying in cases where

23 you've had both values over an 08; but because of the bias,

24 the true value was more likely than not below an 08?

25 A That's always a possibility and, yes, that has

1 occurred. Yes.

2 MR. VOSK: No further questions, your Honor.

3 MR. GARCIA: Nothing further.

4 HEARINGS OFFICER BARTON: Just one question. The  
5 CV -- the reference value, is that the same as the CV?

6 THE WITNESS: No. The reference value is -- well,  
7 there's two on Exhibit 64 on the front page. The average  
8 solution concentration here is 0.1018 is a reference value,  
9 in units of grams per hundred milliliters. We divide that  
10 by 1.23, knowing it's heated in a simulator to 34 degrees  
11 Celsius, and we get another reference value that's more  
12 relevant to the breath-test instrument, 0.0828. Both of  
13 those are reference values in this certified reference  
14 material.

15 The CV is a measure of precision or repeatability.  
16 It's the standard deviation divided by the mean times 100,  
17 you get a percent CV. So that measures precision,  
18 repeatability, dispersion of measurements.

19 HEARINGS OFFICER BARTON: And that's the one that  
20 has to be plus or minus 5 percent?

21 THE WITNESS: That's right -- not plus or minus --  
22 five percent or less. It will be a positive number.

23 HEARINGS OFFICER BARTON: So when you say the  
24 reference value has to be plus or minus five percent, what  
25 are you referring to?

1 THE WITNESS: That's when we use this solution on  
2 the DataMaster and run 10 tests.

3 HEARINGS OFFICER BARTON: So you're talking when  
4 you do a QAP?

5 THE WITNESS: Yes, QAP, that's right.

6 HEARINGS OFFICER BARTON: Okay. So we're looking  
7 at a QAP, it happens to be Exhibit 55. I can hand it to  
8 you if need to look at it again. So which one is the  
9 reference value.

10 THE WITNESS: Okay. At the top of each column  
11 you'll see reference value and the batch number.

12 HEARINGS OFFICER BARTON: Okay.

13 THE WITNESS: So that reference value comes from  
14 one of these -- this exhibit, like 64. The equivalent  
15 vapor concentration, that's the reference value we will put  
16 on that page for this batch number which we use during the  
17 QAP.

18 HEARINGS OFFICER BARTON: I understand. Thank  
19 you.

20 And what role do you have or did you have in  
21 determining how the methods were going to be performed, any  
22 methods that were going to be performed at the State  
23 toxicologist's office?

24 THE WITNESS: None. I had no involvement.

25 HEARINGS OFFICER BARTON: So when you were talking

1 earlier about considering weighted means and deciding not  
2 to use those, are you referring to when you were doing the  
3 recalculations?

4 THE WITNESS: That was my opinion.

5 HEARINGS OFFICER BARTON: Oh.

6 THE WITNESS: Just in the few times I've looked at  
7 the two, arithmetic means or weighted means. I didn't feel  
8 they were significantly different enough to approach Dr.  
9 Logan and argue that he should do the weighted mean.

10 So that was strictly my opinion. I had no  
11 influence on SOP procedures there.

12 HEARINGS OFFICER BARTON: Thank you. I don't have  
13 anything further.

14 HEARINGS OFFICER KOEHLER: I just have one  
15 question, Mr. Gullberg.

16 I might have been a little bit confused by the  
17 testimony. But it is my understanding that you looked at  
18 other toxicology laboratories to determine what -- whether  
19 they used a weighted mean for the average?

20 THE WITNESS: No. Breath-test programs, I think  
21 is how the question was asked. And I'm more familiar with  
22 breath-testing programs throughout the country, not  
23 toxicology laboratories. In the breath-testing programs,  
24 I'm not aware that anyone does a weighted mean.

25 HEARINGS OFFICER KOEHLER: And because in this

1 case we're talking about simulator solutions. When you  
2 talk about in breath-test programs, is that what you're  
3 talking about?

4 THE WITNESS: Well, it's where we are the  
5 end-users of this solution. And we take this reference  
6 value and we do replica tests on a breath-test instrument  
7 and there we simply calculate the arithmetic mean. On the  
8 QAP form you'll see at the bottom of each column a mean.  
9 It's a mean of 10 measurements. That's strictly the  
10 arithmetic mean. I'm not aware that anyone measuring  
11 breath-testing instruments, calibrating, certifying, uses a  
12 weighted mean. That's what my answer was earlier.

13 HEARINGS OFFICER KOEHLER: All right. So you --  
14 your testimony was not about whether in different  
15 laboratories --

16 THE WITNESS: That's right.

17 HEARINGS OFFICER KOEHLER: -- they use a weighted  
18 mean in terms of comparing a simulator solution to what  
19 machine it's tested on.

20 THE WITNESS: That's right. I was not testifying  
21 regarding toxicology labs, where I'm not familiar. It was  
22 on the breath-testing side of the field where we use these  
23 solutions to test breath-test instruments. That's the area  
24 that I'm not aware of weighted means being employed.

25 HEARINGS OFFICER KOEHLER: All right. Thank you

1 very much.

2 Mr. Garcia, do you have any follow-up based on the  
3 Hearing Officer's questions?

4 MR. GARCIA: No, I don't. Thank you.

5 HEARINGS OFFICER KOEHLER: Mr. Vosk:

6 MR. VOSK: I'll try to be very brief.

7 HEARINGS OFFICER KOEHLER: Based on our questions?

8 MR. VOSK: Yes, based on your questions.

9 REDIRECT EXAMINATION

10 BY MR. VOSK:

11 Q You were asked about the QAPs and the parameters  
12 of the QAPs. You're very careful when you do the QAP,  
13 right?

14 A I believe so.

15 Q You're not going to just shove any solution out  
16 there if it fails the QAP?

17 A Well, it's not a matter of shoving solutions out  
18 there, it's the instrument.

19 Q Okay.

20 A The solution is the standard.

21 Q Okay.

22 A That's the reference control value based on  
23 toxicology lab --

24 Q Okay.

25 A -- results by independent gas chromatography. So

1 they establish the reference value. If it doesn't meet  
2 that, something's wrong with the instrument -- is typically  
3 the first thing we look at, mis-calibrated, electronics,  
4 some optical problem so -- and then we'll correct that and  
5 then run it again.

6 Q Okay. But you're not going to just approve any  
7 machine for use?

8 A That's true. Right.

9 Q The only machines approved are the ones that pass  
10 the QAPs?

11 A That's right.

12 Q So in these examples we spoke about earlier of  
13 tests where both were over an 80, but there was actually a  
14 greater likelihood than not that they were under an 80 --

15 A Yes.

16 Q -- those machines passed the QAPs, didn't they?

17 A Yes.

18 MR. VOSK: Nothing further, your Honor. I can't  
19 understand my notes.

20 MR. GARCIA: Nothing.

21 HEARINGS OFFICER KOEHLER: Then the record in this  
22 case, in the Arntson case, relative to exhibits and  
23 witnesses is closed.

24 Before we close, the record is now closed for  
25 exhibits and witnesses. I will accept briefing and

1 memoranda, if you want to do them for this case, no later  
2 than next Friday, November 2, at 5 p.m. All right. And  
3 they need to be filed with me here in the Greenwood office.

4 MR. VOSK: Your Honor, when I write that up, can I  
5 refer to the -- am I free to refer to all of the exhibits  
6 and transcripts from Skagit?

7 HEARINGS OFFICER KOEHLER: You are. And I will  
8 make a ruling in my final order as to whether I am ruling  
9 differently on the admissibility of evidence in the Skagit  
10 case. But you are free to refer to those Exhibits, 1  
11 through 53.

12 MR. VOSK: Thank you, your Honor.

13 HEARINGS OFFICER KOEHLER: Anything further?

14 MR. GARCIA: Nothing further.

15 HEARINGS OFFICER KOEHLER: All right. Mr.  
16 Gullberg, thank you very much for your testimony today.

17 MR. VOSK: And does that include the Olson -- the  
18 September 10 as well? I thought that it had been from  
19 George Bianchi, but I don't know since I haven't been  
20 privied?

21 HEARINGS OFFICER KOEHLER: I don't recall offhand.  
22 I have those notes in my office. If you want to wait for a  
23 moment or did you want to admit those if they haven't  
24 already been admitted.

25 MR. VOSK: I would move to admit if they're not

1 already admitted.

2 HEARING OFFICE KOEHLER: And you're asking to  
3 admit the transcripts from the Olson case that were taken  
4 on September 10, 2007.

5 MR. VOSK: The transcripts and the exhibits in the  
6 Olson case, yes, your Honor.

7 HEARINGS OFFICER KOEHLER: All right. Mr. Garcia,  
8 do you have any objections -- they may have already been  
9 admitted.

10 MR. GARCIA: In this case?

11 HEARINGS OFFICER KOEHLER: In this case before you  
12 were involved.

13 MR. GARCIA: All right. If they haven't been, I  
14 would object because the whole -- my understanding of the  
15 purpose of this proceeding was to create a record where  
16 both parties had an opportunity to examine and cross  
17 examine the witnesses.

18 So Olson, obviously, my understanding is that is  
19 not what happened which was what led to the problem of  
20 testimony without a rebuttal. And from my review of the  
21 opinions that was admitted by the Hearing Examiner saying  
22 this is what I understand to be the case, but there is no  
23 prosecutor, there is no contrary examination -- cross  
24 examination --

25 MR. VOSK: Are you speaking about this Hearing

1 Officer or that Hearing Officer because that Hearing Office  
2 said nothing about that.

3 MR. GARCIA: All right. It's my understanding of  
4 the opinions, so that would be my concern with admitting  
5 testimony where I didn't have an opportunity to examine the  
6 person and elicit responses or --

7 HEARINGS OFFICER KOEHLER: So you are concerned  
8 about the actual testimony?

9 MR. GARCIA: Yes.

10 MR. VOSK: And your honor --

11 HEARINGS OFFICER KOEHLER: Let me just make sure  
12 that I understand where we're at. The Olson testimony may  
13 have been admitted in this case before Mr. Garcia was  
14 involved. As you know, in these Department of Licensing  
15 cases, the hearings generally are not conducted with anyone  
16 coming in person to represent the Department. It's done by  
17 submitting exhibits from the Department website or from  
18 their image records.

19 So I can't recall offhand, I have those notes in  
20 my office. And there would be a record on that. But my  
21 understanding is that if they haven't been admitted, Mr.  
22 Vosk, you're moving to admit them, you're asking that they  
23 be admitted. And Mr. Garcia, you're objecting for the  
24 reasons stated.

25 MR. GARCIA: Yes.

1 HEARINGS OFFICER KOEHLER: All right then. I will  
2 reserve ruling on that until I have an opportunity to  
3 review my notes and I will address that in my -- or if you  
4 prefer that I let you know by Monday, I'll do that.

5 MR. VOSK: If you could, and just because when I  
6 write the brief, I'm going to need to know what I can use.

7 And what I wanted to ask, your Honor, is if you  
8 are going to decide, whichever way you're going to decide,  
9 will we have an opportunity to at least via the phone the  
10 way hearings are usually done, can we at least present  
11 arguments in a 20 minute, half-hour session with respect to  
12 that.

13 My concern is that the testimony was all sworn to,  
14 it was all under oath, it was pursuant to the Department of  
15 Licensing's wishes, it wasn't pursuant to the Defendant's  
16 wishes so nobody dragged these people in. And the  
17 Department did say that those transcripts would be used --  
18 would be posted and made available for everybody to use and  
19 would be binding in hearings thereafter. And the AG was  
20 there in fact. And the AG's the one who finally -- the AG  
21 could have participated at anytime. She could have  
22 participated at any time, and as a matter of fact when she  
23 wanted to, she did participate and that's why this -- those  
24 proceedings have all been dismissed.

25 HEARINGS OFFICER KOEHLER: Are you requesting an

1 oral argument on this hearing altogether or on the issue of  
2 the Olson transcripts?

3 MR. VOSK: Just on the issue of the transcripts  
4 and the exhibits from Olson. I understand you want  
5 briefing, you'll accept briefing.

6 HEARINGS OFFICER KOEHLER: For the Arntson case  
7 overall?

8 MR. VOSK: Yeah, yeah.

9 HEARINGS OFFICER KOEHLER: I don't believe that I  
10 need to hear any oral argument on the Olson transcripts.  
11 I'm going to review my records, and I'll have to review the  
12 record from that initial hearing to determine whether or  
13 not those were incorporated or not.

14 I understand what your arguments both are. And I  
15 will let you know before the end of the day on Monday so  
16 that you will know.

17 MR. VOSK: Thank you, your Honor.

18 MR. GARCIA: I want The Court to be clear. My  
19 objection is with the reservations I've stated. I think if  
20 the Hearing Examiner's would find it helpful, then it  
21 should be admitted with the understanding that, of course,  
22 that there was nobody on other side. And that's the  
23 reservation I have there. So I have not reviewed it, I did  
24 read it once approximately three weeks ago when I became  
25 involved.

1 MR. VOSK: And, your Honor, if it will make  
2 counsel feel any better, I'm willing to stipulate that  
3 nobody rebutted us at that hearing.

4 HEARINGS OFFICER BARTON: Didn't both of those  
5 analysts testify again in Gilbert?

6 MR. VOSK: They did both testify again, neither of  
7 them contradicted anything they said. Because we had the  
8 transcripts on Olson, however, there were certain questions  
9 I did not ask because I just thought they'd be repetitive  
10 and I was assuming The Court had looked at them, which was  
11 my mistake.

12 HEARINGS OFFICER BARTON: Were they admitted in  
13 Gilbert?

14 MR. VOSK: They were not, no. And that was my  
15 mistake. I had assumed they were. And so I asked them a  
16 fair number of questions, but some of the ones I asked them  
17 in DOL, I did not ask them at Skagit. It was an oversight  
18 on my part.

19 HEARINGS OFFICER BARTON: I thought you covered it  
20 pretty well in Skagit.

21 HEARINGS OFFICER KOEHLER: All right. Then this  
22 hearing is concluded. Thank you. And thank you very much  
23 for your presentations.

24 (Whereupon, at 4:06 p.m., the hearing was  
25 concluded.)

1 CERTIFICATE

2 .

3 I, Valerie Allard, do hereby certify

4 that pursuant to the Rules of Civil Procedure, the

5 witness named herein appeared before me at the time

6 and place set forth in the caption herein; that at

7 the said time and place, I reported in stenotype all

8 testimony adduced and other oral proceedings had in

9 the foregoing matter; and that the foregoing transcript

10 pages constitute a full, true and correct record of such

11 testimony adduced and oral proceeding had and of the

12 whole thereof.

13 .

14 IN WITNESS HEREOF, I have hereunto set my

15 hand this 29th day of October, 2007.

16 .

17 .

18 \_\_\_\_\_

19 Signature            Expiration Date

20 .

21 .

22 .

23 .

24 .

25 .